

IN THE CIRCUIT COURT FOR CARROLL COUNTY, MARYLAND

----- x  
 :  
 STATE OF MARYLAND, :  
 :  
 v. :  
 :  
 CHARLES DAVID BRIGHTFUL, : Criminal No. K-10-040259  
 BONNIE DENISE BRISCOE : Criminal No. K-10-040783  
 HARVEY ALEXANDER CARR, : Criminal No. K-10-040331  
 MATTHEW BRIDGER FARLEY : Criminal No. K-11-041045  
 JENNIFER ADELIN FLANAGAN, : Criminal No. K-10-040167  
 RYAN THOMAS MAHON, : Criminal No. K-09-039370  
 PERRY GILBERT MAY : Criminal No. K-10-040717  
 CHRISTOPHER JAMES MOORE, : Criminal No. K-09-039569  
 VALERIE ANN MULLIKIN, : Criminal No. K-09-039636  
 RYAN LUCAS MULLINIX : Criminal No. K-10-040575  
 DARRELL PATRICK PEYOK : Criminal No. K-10-040686  
 RONALD DALE TEETER, : Criminal No. K-10-040300  
 :  
 Defendants. : Westminster, Maryland  
 :  
 ----- x February 15, 2011

**HEARING**

WHEREUPON, proceedings in the above-entitled matter commenced.

BEFORE: THE HONORABLE MICHAEL M. GALLOWAY, Judge

APPEARANCES:

FOR THE STATE:

DAVID DAGGETT, Esq.  
 ADAM WELLS, Esq.  
 Carroll County State's Attorney's Office  
 55 North Court Street, P.O. Box 530  
 Westminster, Maryland 21157

APPEARANCES: (continued)

FOR THE DEFENDANTS:

BRIAN L. DeLEONARDO, Esq.  
DeLeonardo Smith & Associates, LLC  
215 Main Street, Suite 1  
Reisterstown, Maryland 21136

ALEXANDER J. CRUICKSHANK, Esq.  
Office of the Public Defender  
101 North Court Street, Suite 140  
Westminster, Maryland 21157

I N D E X

	<u>Page</u>
Preliminary Matters	4

<u>WITNESSES</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RE CROSS</u>
<u>For the State:</u>				
William R. Morrison		5 (BD) 66 (AC)	71 (AW) 75 (DD)	81 (BD) 84 (AC)
Tom Woodward	87 (DD)	94 (BD)		

<u>EXHIBITS:</u>	<u>FOR IDENTIFICATION</u>	<u>IN EVIDENCE</u>
<u>For the Defendant:</u>		
27		43
28		110

KEYNOTE: "----" indicates inaudible in the transcript.

1                                   P R O C E E D I N G S

2                   THE CLERK:   Silence in Court, all rise, the  
3 Honorable Michael M. Galloway presiding.

4                   THE COURT:   Good morning, be seated please.

5                   MR. WELLS:   Good morning, Your Honor, for the  
6 record, Adam Wells, spelled W-e-l-l-s, and David Daggett,  
7 spelled D-a-g-g-e-t-t, on behalf of the State.

8                   Your Honor, would you like me to recall all the  
9 cases with the case numbers today or just Frye-Reed, et al.?

10                  THE COURT:   We will just designate this is the Frye-  
11 Reed cases and reference Charles Brightful, State versus  
12 Charles Brightful, et al.

13                  MR. WELLS:   Thank you, Your Honor.

14                  THE COURT:   That is sufficient.

15                  MR. DeLEONARDO:   And for the record, Brian  
16 DeLeonardo, D-e-L-e-o-n-a-r-d-o.

17                  MR. CRUICKSHANK:   For the record, Alex Cruickshank,  
18 C-r-u-i-c-k-s-h-a-n-k.

19                  THE COURT:   All right.   Good morning, are we ready  
20 to proceed?

21                  MR. DeLEONARDO:   We are.

22                  THE COURT:   All right.

23                  THE CLERK:   Please remain standing and raise your  
24 right hand?

25 Whereupon,

1                                   OFFICER WILLIAM R. MORRISON  
2 was recalled as a witness by the State, having been previously  
3 duly sworn, resumed the stand, was examined and testified  
4 further as follows:

5                   THE CLERK: Thank you, you may be seated. For the  
6 record, please state your full name, spelling your first and  
7 last and give your us your current duty assignment.

8                   THE WITNESS: Officer William R. Morrison,  
9 Montgomery County Police Department, last name is Morrison,  
10 M-o-r-r-i-s-o-n.

11                   THE CLERK: Thank you.

12                                   CROSS-EXAMINATION (Resumed.)

13                   BY MR. DeLEONARDO:

14           Q       Good morning, Officer Morrison.

15           A       Good morning.

16           Q       Just to pick up essentially where we left off  
17 yesterday, I think when we left off we talked about the  
18 process that a DRE goes through, three step overview, which is  
19 determining if there is an impairment, determining if the  
20 impairment is from drugs and not a medical condition and then  
21 determining the category of drugs, correct?

22           A       That's correct.

23           Q       And we talked about the second part where you are  
24 actually determining that there is not a medical condition  
25 accounting for what you are seeing, that that medical

1 diagnosis is in that second overview stage, correct?

2 A That's correct.

3 Q Now when we turn to the training, you indicated  
4 yesterday that you regularly instruct this program based on  
5 what is in the manual, correct?

6 A That's correct.

7 Q And in the manual the discussion about the body and  
8 the medical conditions that could mimic impairment are  
9 contained within session six of the manual, is that correct?

10 A The physiology section, I believe?

11 Q The physiology and drugs and overview?

12 A That's correct.

13 Q Right?

14 A Yes.

15 Q Now, the course, the instructors are advised  
16 essentially exactly how long to use to cover this information,  
17 is that correct?

18 A That's correct.

19 Q In fact, the entire teaching protocol is set out in  
20 pretty explicit detail as to how long you take for each  
21 section, what slide you use, and what material you use,  
22 correct?

23 A That's correct.

24 Q And in the 2010 version, in session six, the time  
25 that is used to cover the physiology and drugs and overview is

1 two hours and 10 minutes, is that right?

2 A Off the top of my head, I don't know. I would have  
3 to refer to the manual.

4 Q Okay. That will be the manual. There is also a  
5 schedule in there, is that correct?

6 A There should be, yes.

7 Q Okay. So, if you could take a look at the schedule?

8 A Actually, this is a student manual. Do you have the  
9 instructor's manual?

10 Q Sure. Just to refresh your memory.

11 A (Looking through manual.) The manual says here two  
12 hours.

13 Q So, it is two hours time block to cover -- and just  
14 to clarify this. The subject matter that is covered in that  
15 section is body systems, right?

16 A Physiology and Drugs and Overview.

17 Q Well, I understand that is the title of it. I am  
18 asking the subject matter that is covered in that section. It  
19 includes all the systems of the body, correct?

20 A That's correct.

21 Q And it includes the concept of homeostasis, right?  
22 The nervous system, parts, circulatory systems, correct?

23 A Yes, it does.

24 Q And there is -- in the manual, there is a section  
25 that deals with medical conditions that mimic impairment,

1 correct?

2 A That's correct it does.

3 Q So, that is simply one part of that two-hour block,  
4 correct?

5 A Yes it is.

6 Q And if I could show you then, you know how many  
7 pages are used to cover those medical conditions?

8 A I believe four if I recall right.

9 Q Four pages that cover that?

10 A Actually if you cut down the additional stuff, it's  
11 probably about three and a half.

12 Q I am going to show you what has been marked as  
13 defense Exhibit No. 5 and can you tell me in the 2010 student  
14 manual, if you could take a look at session six, tell me how  
15 much is devoted to medical condition that mimic impairment?

16 A About a page and a third.

17 Q So not four pages?

18 A That's correct.

19 Q All right. And on that page and a quarter, it  
20 essentially list a few medical conditions that it says can  
21 mimic the signs of impairment, correct?

22 A That's true.

23 Q All right. It says bipolar disorder, manic  
24 depression, right?

25 A Yes, it does.



1 Q And it simply describes it as a condition that is  
2 characterized by the alteration of the manic and depressant  
3 states?

4 A Yes, it does.

5 Q Does the manual indicate what signs or symptoms that  
6 mental disorders in general may cause to be exhibited by a  
7 person?

8 A No, it does not.

9 Q It talks about conjunctivitis, right?

10 A Yes, it does.

11 Q Does it indicate to -- it indicates as far as that,  
12 it says that first glance it may appear similar to bloodshot  
13 condition associated with impairment by alcohol or cannabis,  
14 correct?

15 A That's correct.

16 Q But it is indicating that is also non-impairing  
17 reasons for it, correct?

18 A That's correct.

19 Q Does the manual indicate or tell the students how to  
20 distinguish between a normal indication of that versus an  
21 indication that could be from impairing substances?

22 A No, it does not.

23 Q Diabetes. It talks about the concept of going to  
24 insulin shot, right?

25 A Yes, it does.

1 Q All right. And that was sort of a kind of emergency  
2 condition you were referring to yesterday that you are  
3 excluding when you are trying to make your decision, right?

4 A That could be one of them, yes.

5 Q Okay. Does the manual at all instruct the students  
6 what affect systemic diabetes may have on a person's physical  
7 sense?

8 A No, it does not.

9 Q So, it doesn't indicate what signs or symptoms could  
10 be affected by prolonged but maintenance diabetes, does it?

11 A No, it does not.

12 Q As to multiple sclerosis, it gives a short paragraph  
13 on that, is that right?

14 A Yes, it does.

15 Q It gives a short paragraph on shock, correct?

16 A Yes.

17 Q Which would also be another one of those emergency  
18 type conditions you discussed?

19 A That's correct.

20 Q And then it talked about stroke and the affect of  
21 someone having a stroke when you are evaluating them, correct?

22 A Yes, it does.

23 Q What about -- does the manual instruct the student  
24 at all what affect a person who has a history of strokes, what  
25 affect may be seen in the signs or symptoms they could

1 exhibit?

2 A No, it does not.

3 Q Now, there is a paragraph at the very end, you get  
4 that quarter page of the second page, that top quarter, it  
5 says that there is a lot of other medical conditions that  
6 could also, but it doesn't give an extensive list. It only  
7 says some of what could be included, correct?

8 A That's correct.

9 Q All right. It says carbon monoxide poisoning,  
10 right?

11 A Yes.

12 Q Seizures?

13 A Yes.

14 Q All right. And that would be seizures that could  
15 have occurred prior to this, prior to the evaluation, right?

16 A Could be, yes.

17 Q Endocrine disorders?

18 A Yes.

19 Q Neurological conditions?

20 A Yes.

21 Q Psychiatric conditions and infections, right?

22 A Yes.

23 Q Does it anywhere, does it describe the signs or  
24 symptoms that would be caused for many of these medical  
25 conditions?

1 A No, it does not.

2 Q So, it does not at all instruct the student how to  
3 distinguish between these medical conditions and what they may  
4 be seeing in the evaluation, does it?

5 A No, the manual does not.

6 Q All right. Do it also describe excitement, fear,  
7 anxiety and depression as also being examples that could  
8 affect, right?

9 A That's correct.

10 Q And exercise as well, correct?

11 A That's correct.

12 Q Does it, and again, the manual does not describe  
13 anywhere what weight to give that previous history, nor what  
14 signs and symptoms could be produced, correct?

15 A No, it does not.

16 Q When we talk about -- now there is also on the next  
17 page, there is topics for study at the end of this two-hour  
18 block, correct?

19 A Yes, it is.

20 Q And you would agree with me that the topics for  
21 study in each of these sections is intended to emphasize the  
22 major information that you want the student to get from this  
23 chapter, correct?

24 A Yes.

25 Q That is the reason for it, right?

1 A Yes, these are review questions.

2 Q Right. And essentially in all of these sections,  
3 the topics for study, the review questions are the points of  
4 emphasis that you really want to make sure the student takes  
5 away from this chapter, right?

6 A That's correct.

7 Q How many questions are dedicated to medical  
8 conditions that mimic impairment out of the eight?

9 A I do not see any.

10 Q As to -- however, you would agree that there are two  
11 sections in the manual that are dedicated to teaching the  
12 officer to be able to prepare themselves and do well in Court  
13 that is in the 2010 manual, is that correct?

14 A That's correct.

15 Q There is session 13, which is CV Preparation and  
16 Maintenance, correct?

17 A Yes, sir.

18 Q And 50 minutes is devoted to that?

19 A There is.

20 Q And there is session 18, which is Case Preparation  
21 and Testimony and a hour and a half is devoted to that,  
22 correct?

23 A That's correct.

24 Q So combined, you are talking essentially two hours  
25 and 20 minutes is devoted to how they testify in Court, is

1 that fair to say?

2 A That's correct.

3 Q In the session 18, if you could turn to that please.

4 One of the purposes of this section is to teach the officer  
5 how to be able to go into Court and try to establish that the  
6 only logical explanation for these signs and symptoms is drug  
7 impairment, correct?

8 A Not sure I understand your question.

9 Q Well, my question is that the reason that there is  
10 an hour and 30 minutes devoted to teaching these experienced  
11 officers how to go in and testify is because this section is  
12 designed to teach them how to make sure they convey that the  
13 only reason for these signs and symptoms is drug impairment,  
14 correct?

15 A In session 18?

16 Q Correct. It is Courtroom Preparation and Testimony.

17 A I have Practice Test Interpretation.

18 Q You have -- then maybe I am speaking the wrong --  
19 then it would be 19 then. Is that what you are referring to?

20 A 19, I have Inhalants.

21 (Long pause.)

22 BY MR. DeLEONARDO:

23 Q I apologize. It is a roman numeral error, it is 20.  
24 That is in Exhibit 5?

25 A Case Preparation and Testimony, yes.

1 Q Right. And so, again, back to my question that the  
2 thrust of that section is how to present testimony that will  
3 be persuasive to the Court that the only logical  
4 interpretation is that there is drug impairment?

5 A Yes.

6 Q Okay. And there is eight pages devoted to -- well  
7 six pages devoted to explaining to the officer how to do that  
8 and two pages as to potential cross examination questions,  
9 correct?

10 A That's correct.

11 Q And let me ask if you can recall this in the  
12 instructor's manual in session six of the instructor manual,  
13 the student is actually told by the instructor that if they  
14 get questions as to bodily functions that the best response is  
15 to say, I don't know that I conducted a series of evaluations  
16 and documented my observations and based on my training  
17 experience, the results of the observations are consistent  
18 with what I found. Correct?

19 A I am not familiar with that.

20 Q I am going to point out to you -- Well, I ask you to  
21 refresh your recollection.

22 (Long pause.)

23 THE WITNESS: I'm sorry, what was your question,  
24 again?

25 BY MR. DeLEONARDO:

1 Q My question is that the DRE instructor manual tells  
2 those that are instructed to tell the students during this  
3 section that if they get questions on bodily systems that they  
4 are simply to say they don't know. Correct?

5 A Bodily function, specific drug interaction is, I  
6 don't know, right.

7 Q Right.

8 A Okay.

9 Q So that is what it says.

10 A Okay.

11 Q Well, you weren't aware of that. Is that what you  
12 instruct your students?

13 A I wasn't aware of this line, no.

14 Q But the instructor manual tells you step by step  
15 exactly what they are to be informed, correct?

16 A That's correct.

17 Q All right. I am going to turn to -- let me just  
18 emphasize one point of that. But you indicated earlier that  
19 when you are doing this, you are making a medical diagnosis,  
20 correct?

21 A I'm looking for drug impairment.

22 Q Which is, you are determining that someone is not  
23 medically -- it is not a medical condition that causes what  
24 you see, correct?

25 A That's correct.



1 Q Preliminary examination. You talked yesterday about  
2 when you do this preliminary examination with the person, you  
3 would agree with me that the way it is taught in the manual is  
4 that it is a structured series of questions, correct?

5 A Yes, it is.

6 Q And the questions are intended to elicit certain  
7 response to see whether or not there is information that the  
8 person would have that could demonstrate that it is from a  
9 medical condition, correct?

10 A That's correct.

11 Q And this is after they have been advised of Miranda  
12 warnings, correct?

13 A In the State of Maryland, yes.

14 Q And you would agree with me that if someone doesn't  
15 give you information, someone decides to invoke their  
16 constitutional rights, do you stop the examination?

17 A It would depend on the situation, yes, there have  
18 been times I have.

19 Q But that is not what the manual says, correct?

20 A Well, it's going to be tough to complete the  
21 evaluation. It's going to have to look under the case by case  
22 basis.

23 Q But if someone is unwilling to tell you about  
24 their -- let's say that they cooperate but they are unwilling  
25 to tell about their medical history. Would you stop your

1 examination?

2 A Again, I would have to look at a case by case basis.  
3 Could I do a complete evaluation, no.

4 Q The questions that are -- there is essentially a  
5 series of six questions that the student is advised to ask, is  
6 that right?

7 A That's correct.

8 Q So, are you sick or injured? Do you have any  
9 physical defects? Are you diabetic or epileptic? Do you take  
10 insulin, are you under a doctor or dentist care and are you  
11 taking medication, right?

12 A That's correct.

13 Q And the student is advised that based on those  
14 answers, you should answer appropriate follow up questions,  
15 right?

16 A That's correct.

17 Q Now, is the student advised at all based on what  
18 those answers, what would be the appropriate questions?

19 A Some of the instructors do go into what would be the  
20 appropriate questions. Is there anything in the manual? No.  
21 But the officers would be instructed to expand and find out  
22 more details related to this person's medical history or  
23 medication that they have been taking.

24 Q Well, first of all, as you indicated, the manual  
25 doesn't tell them to do that, correct? There is no

1 standardized way to do that?

2 A No, there is none.

3 Q And as far as what questions to ask, it is pretty  
4 much left up to the DRE to decide what would be appropriate  
5 questions, right?

6 A That's correct.

7 Q But the DRE is not advised, for example, on  
8 medication to ask how long they have been taking it, correct?

9 A Well, it's not in the manual, no.

10 Q All right. Nor what dosage that they have been  
11 taking, correct?

12 A It's not in the manual but as an instructor, I would  
13 be instructing people to do that.

14 Q Well, you may say that that is something that you  
15 instruct them to do but, again, that is not something that is  
16 part of the standardized process, is it?

17 A No, it's not in the manual.

18 Q All right. And, in fact, it doesn't actually even  
19 say that you should ask for a history of treatment they have  
20 received over the past, does it?

21 A It does not.

22 Q Does not say to ask what their normal vital signs  
23 that they are, does it?

24 A It does not.

25 Q It doesn't ask about any family history or how often

1 they exercise or anything like that, true?

2 A It does not.

3 Q All right. Now, you would agree with me that the  
4 appropriate questions to ask would be influenced by your  
5 knowledge of what is relevant, right?

6 A Yes.

7 Q In other words, the more knowledgeable you are about  
8 what could affect vital signs, the more likely it is that you  
9 would know what the appropriate follow up questions would be,  
10 right?

11 A Yes, it can be.

12 Q All right. Now in this preliminary examination  
13 also, when someone tells you that they, let's say, taken a  
14 drug, do you assume that to be automatically true?

15 A To a certain extent, yes.

16 Q Okay. So, for example, if someone says I smoked  
17 marijuana earlier, you would assume that to be true?

18 A To a certain extent, yes.

19 Q Well, you are aware that some DREs that created this  
20 program have indicated that sometimes people will tell you one  
21 drug and it is really a different drug?

22 A And that's exactly why I say that. I would take  
23 them for what they say at that time, but that would not  
24 influence my evaluation. I would conduct my evaluation and  
25 determine is this person telling me the truth or was that part

1 of the truth, or was this person just flat out lying to me.

2 Q Okay. So when someone tells you something, as far  
3 as you are concerned, it doesn't affect your ability to reach  
4 a conclusion whatever they tell you?

5 A That's correct.

6 Q All right. So, it is a fair -- I think I got what I  
7 wanted. If someone in the manual -- we went over the  
8 conditions that are covered. Can you tell me in the manual  
9 does it say what the DRE officer is to do if someone reports a  
10 medical condition they aren't familiar with?

11 A In the manual, we talked about that a little bit  
12 under references. In that section we over the PDR, we go over  
13 poison control, we go over the Nurses Handbook, we go over the  
14 drug bible, medical dictionary.

15 Q Okay. So, again, are you telling me that the  
16 student is advised to go look it up somewhere what the medical  
17 condition is?

18 A Yes.

19 Q And the PDR deals with drugs and what affects may  
20 cause the symptom?

21 A That's correct.

22 Q Okay. That is what they would be relying on?

23 A That would just be one source. The main one for  
24 what you're specifically asking for would be the medical  
25 dictionary.

1 Q Okay. So, they are going to go to a medical  
2 dictionary and look up what the disease is and based on that,  
3 know how it would affect this particular patient?

4 A They would have an understanding on what his issue  
5 is.

6 Q All right. And they would be able to determine what  
7 affect it may have on this particular person with the history  
8 and with the other information they have obtained?

9 A It would depend on what the issue was.

10 Q Now as to the matrix, you said that the heart of  
11 reaching your opinion is this matrix, correct?

12 A We refer back to the matrix to determine the  
13 impairment or what category the drugs would be.

14 Q If I recall, Exhibit 5 was the symptomatology matrix  
15 that we used, is that correct?

16 A That is a copy of it, yes.

17 Q In fact, you provided that to the State is that  
18 correct?

19 A That's correct.

20 Q But you saw, did you not a matrix that I later  
21 submitted. Did you have an opportunity to review that matrix?

22 A I have not seen your matrix, no.

23 Q Okay, I am going to show you Defendant's Exhibit No.  
24 11. Can you tell me officer, which is the correct matrix  
25 being used there?

1 A Without a date on both of them, I cannot.

2 Q You can't tell?

3 A Not off the top of my head, I'm looking for a date  
4 on them.

5 Q Okay. We don't need a date -- look at major  
6 indicators? Is muscle tone listed on yours?

7 A It is not.

8 Q Is it listed on mine?

9 A Yes, it is.

10 Q What is the more current matrix?

11 A Again, I would have to -- I don't believe yours is  
12 the most current.

13 Q You don't believe that?

14 A No.

15 Q So, is muscle tone a major indicator?

16 A It is, yes.

17 Q But it is on yours and not on mine -- or it is on  
18 mine and not on yours, correct?

19 A On the most recent one it would be on ours, yes.

20 Q Okay. Muscle tone, how long has it been a major  
21 indicator?

22 A I couldn't tell you, as long as I can remember.

23 Q So, are you indicating to me that mine may not be  
24 more current, that they took off a major indicator on the  
25 chart?

1 A Oh, no, I'm not saying that they took it off.

2 Q Okay. But you are still not sure which is more  
3 current?

4 A Out of these, no, I don't think either of these are  
5 the most current.

6 Q Okay.

7 THE COURT: Well, can I interject here. We are  
8 using the word more and most. Is your question which is the  
9 more current of these two?

10 MR. DeLEONARDO: And that is fair. Which is more  
11 current?

12 THE WITNESS: Which one has the most information?  
13 Yours does.

14 THE COURT: I think where we are breaking down here.  
15 I think the officer is interpreting your question to mean most  
16 current out of all of the matrix, which may have been  
17 generated.

18 I think the question you are asking is of these two,  
19 which is the more current and I would think the conclusion  
20 would have to be that Defendant's Exhibit 11 is more current  
21 in that it has muscle tone.

22 And the officer is indicating that that now is on  
23 whatever is the current version of the matrix is. Is that a  
24 fair statement, officer?

25 THE WITNESS: That is correct, sir.



1 THE COURT: Okay.

2 MR. DeLEONARDO: Thank you, Your Honor.

3 BY MR. DeLEONARDO:

4 Q Now, I want to step through these major indicators.  
5 First of all, can you tell me, what does it mean to be an  
6 indicator? What is it indicating? What does the DRE manual  
7 say that it indicates?

8 A What does it indicate?

9 Q Well, it is called a major indicator and I am asking  
10 you what is it intended to indicate?

11 A Well, it could be an indication of impairment.

12 Q Okay. So as far as it is used by the DRE protocol,  
13 when we talk about indicators, you are saying those are  
14 indicating of impairment, not just presence of that drug,  
15 correct?

16 A Well, it can be both. I mean it can be the presence  
17 and it can also show impairment.

18 Q And it could also indicate medical?

19 A It could also -- yes, there are situations where it  
20 could be medical.

21 Q Okay. So when you say major indicator, it only  
22 indicates that could be one of many, many things, is that they  
23 way the DRE uses that?

24 A Okay, yes.

25 Q All right. Now, why is there a difference between

1 major and general indicators, can you tell me why the DRE  
2 calls one major indicators and one called general?

3 A I don't know.

4 Q You have been in the program for what 20 years?

5 A Yes.

6 Q You have no idea why they call certain things major  
7 indicators and certain things general?

8 A I have no idea.

9 Q Okay. Let's talk about the major indicators. Major  
10 indicators are listed as first of all, HGN, correct?

11 A Right, horizontal gaze nystagmus.

12 Q All right. Now when we deal with that, first of  
13 all, I am going to ask you about the scoring. When this is  
14 scored, how many -- there is a possibility when we talk about  
15 field sobriety test, there is a possibility of six potential  
16 clues, correct?

17 A That's correct.

18 Q And when you deal with this major indicator, what is  
19 the number of clues that you have to have before the DRE can  
20 say this is an indicator in the matrix?

21 A Well, it's just a case of whether it's present or  
22 not?

23 Q Well, does all six of six have to be present, two of  
24 six, four of six, how many have to be present for it to be an  
25 indicator?

1           A     I don't know of a specific number value.

2           Q     So what you are telling me is that according to the  
3 manual, if you simply have lack of smooth pursuit, right, that  
4 would be enough for a DRE to say that is an indication?

5           A     It can be yes.

6           Q     With horizontal gaze nystagmus as well, you said  
7 that you do evaluations on people that would even be as high  
8 as a .06 BAC, right? We talked about that yesterday.

9           A     That's correct.

10          Q     And so if they exhibited lack of smooth pursuit even  
11 with that alcohol reading, you would still indicate -- you  
12 would still mark that could be an indicator for drugs,  
13 correct?

14          A     Not necessarily, no.

15          Q     Well, not necessarily means that you could. A DRE  
16 could and that would be permissible?

17          A     That .06 could be just the alcohol present that  
18 could be causing the HGN -- I mean, yeah.

19          Q     It is true but you have told me that it could also  
20 be from drugs, right?

21          A     That's correct.

22          Q     So, it would be perfectly acceptable for a DRE to  
23 say that is one of my indicators in my matrix, true?

24          A     But that wouldn't be the result of the DRE would  
25 see.

1 Q Well, we don't know what DRE would see. You would  
2 agree with me that people are different in terms in even in  
3 alcohol levels what signs or symptoms they may exhibit in the  
4 eye, true?

5 A They can be yes.

6 Q Okay. So, we really don't know what they could see  
7 but my point was that would be okay for the DRE who saw two  
8 out of six clues on someone even at a .05. That would be an  
9 acceptable indicator to use in a matrix, true?

10 A That HGN was present.

11 Q Yes. All right. Vertical nystagmus. In the matrix  
12 there is two places, both CNS depressant and inhalants, where  
13 it says it would be present in high dosage, correct?

14 A That's correct.

15 Q So, if I understand you correctly in the way this is  
16 taught, if in fact it was found in those two categories, if it  
17 was found present, the DRE is instructed to take from that  
18 that there is a high dose of that drug present, is that true?

19 A Drug or combination of drugs.

20 Q Okay. But that is the conclusion that the DRE is  
21 instructed. That if they see this vertical gaze nystagmus,  
22 that they should draw from that that there is a high dose of  
23 drugs in the body?

24 A That's correct.

25 Q Now, are they taught at all to distinguish between

1 vertical gaze or vertical gaze nystagmus?

2 A I'm not sure I understand what you are saying.

3 Q Well are you aware that there is a different between  
4 vertical gaze versus vertical gaze nystagmus?

5 A We are looking for vertical gaze nystagmus. We are  
6 looking for a vertical balance.

7 Q So, are they taught at all how to distinguish what  
8 else they may see with someone, seeing vertical gaze and  
9 vertical gaze nystagmus?

10 A If they are seeing anything that would be unusual,  
11 then that would not be classified as vertical gaze nystagmus.

12 Q And in the manual does it describe what would be  
13 unusual findings versus what they need?

14 A The student is instructed to look for horizontal  
15 gaze nystagmus, vertical gaze nystagmus and resting nystagmus?

16 Q Right. I understand they are instructed. I was  
17 asking is there anything in the manual that tells him how to  
18 distinguish between vertical gaze and vertical gaze nystagmus?

19 A No, they do not.

20 Q Lack of convergence, this other major indicator.  
21 Now, is it not true, you have been involved with this for some  
22 time, that up until 2005 the students were instructed to go to  
23 the bridge of the nose?

24 A That's correct.

25 Q They were advised that when they do the test go all

1 the way to the nose and see whether there is a lack of  
2 convergence, right?

3 A That's correct.

4 Q It was only recently, the last several years, that  
5 they actually changed it to two inches, is that right?

6 A That's correct.

7 Q And on this indicator, again, there are certain  
8 categories that indicate present would be an indicator. That  
9 would be CNS depressant, that would be dissociative anesthetic  
10 and it would be inhalants and cannabis, correct?

11 A That's correct.

12 Q So, the student is instructed that if they find  
13 their opinion lack of convergence, that that should be an  
14 indication of drug impairment, correct?

15 A It can be.

16 Q Pupil size. Now on the matrix and you can certainly  
17 use mine, on the matrix there is pupil ranges at the bottom  
18 right, which are described as normal ranges, is that right?

19 A That's correct.

20 Q And when this is instructed to the student, they are  
21 told that these are the ranges that are to be used as they do  
22 evaluations, right?

23 A That's correct.

24 Q And they are further instructed that if it is even a  
25 half millimeter off on any one of these readings, either high

1 or low, that that would be enough to say that there is an  
2 indication of dilation or constriction on pupil size, is that  
3 right?

4 A It can be, yes.

5 Q Well, I am asking you, that would be perfectly  
6 acceptable, if for example, in room light, I had a reading of  
7 5.5. You would say that is perfectly acceptable to say that  
8 that is an indicator for pupil size, is that right?

9 A For -- I'm not sure I understand.

10 Q Okay.

11 A You are saying you see a 5.5 in room light --

12 Q Yes.

13 A -- as a perfect good indicator of what?

14 Q Well, your matrix. Your matrix says that you look  
15 for a dilation in constriction, correct?

16 A Right.

17 Q So, that would be an indication that 5.5 according  
18 to the DRE program, that would be an indication of dilation,  
19 right?

20 A Yes, it can be.

21 Q Okay. So, that would be something that you would  
22 instruct the students would be an indicator of possible  
23 impairment by drugs?

24 A That's one thing, yes.

25 Q And that would be even true even if the reading in

1 near total darkness and direct light were even within your  
2 range, correct? In other words, you only need one of those to  
3 be off?

4 A Not necessarily, we're looking at the totality of  
5 everything.

6 Q Well, we are speaking of pupil sizes?

7 A Well, again, we are looking at the totality of all  
8 the different pupil sizes. We would say then in room light  
9 this person displayed a dilated pupil at this reading.  
10 However, in near total darkness and direct light, we obtained  
11 whatever.

12 Q Would it be acceptable according to the DRE's  
13 standards for that DRE to say there were signs of dilation as  
14 a sign of impairment as a major indicator?

15 A It would be one.

16 Q Thank you. Now, as far as the -- let's talk about  
17 room light. You indicated that this was a standardized  
18 process that you used yesterday. You said that room light is  
19 standardized. It is basically you turn on all the lights in  
20 the room, right?

21 A Whatever light we have in the room.

22 Q Okay. So, for example in this courtroom, we have  
23 all the lights on, is this brighter or darker than the police  
24 station?

25 A I have no idea.



1 Q So, when we say that room light is standardized, you  
2 really have no idea that room light is the same from place to  
3 another, correct?

4 A Oh, no, it would not be the same.

5 Q And you would agree with me that DRE officers are  
6 not instructed how to compensate for variants in room light?

7 A That's correct.

8 Q And you would agree with me that the intensity of  
9 the room light could affect the readings that you would  
10 obtain?

11 A It can.

12 Q Near total darkness. Now when you do the near total  
13 darkness examination, you said well, one of the things we look  
14 to do is perhaps take them into a closet, is that right?

15 A I gave the closet as an example, some place that can  
16 be made totally dark.

17 Q And when you go into the room, do you go in dressed  
18 as you are now?

19 A Yes.

20 Q Okay.

21 A If I'm in uniform.

22 Q All right. And you actually not only go in by  
23 yourself but you go in with someone else, right?

24 A If that -- you know, I prefer to that, yes.

25 Q And in some places, they actually will only let the

1 person go in with their hands cuffed, is that right?

2 A If that's their policy.

3 Q Well, but you know that there is many places that  
4 that's required, that they are going to take them in  
5 handcuffs, is that right?

6 A If that's their policy.

7 Q I didn't ask if their policy. I am saying you know  
8 that to be the case?

9 A I'm sure that there is some departments out here  
10 that says, yes, they have to be in handcuffs.

11 Q Is the instructors -- the DRE instructors advised  
12 what affect anxiety or fear could have on the readings they  
13 obtained in near total darkness?

14 A No, they are not.

15 Q Are they advised of any of when they are conducting  
16 this, any errors that they could induce during the process of  
17 obtaining these readings?

18 A No, they are not.

19 Q One of the things that you also have is direct  
20 light, is that right?

21 A That's correct.

22 Q And yesterday you demonstrated that -- I think you  
23 had a picture actually of the penlite that you use, right?

24 A That's correct.

25 Q But also indicated that is not something in the DRE

1 program that is standardized, is that right?

2 A That's correct.

3 Q Do you know the intensity of the bulb that you use?

4 A No, I do not.

5 Q So, you would agree with me, however, that the  
6 intensity of the bulb could, in fact, affect the amount of  
7 constriction or dilation that may occur in a person, is that  
8 right?

9 A It could, yes.

10 Q All right. Are the students taught at all that  
11 therapeutic levels of drugs can produce certain signs or  
12 symptoms in the eyes?

13 A If that drug could affect the eyes, then yes it can.

14 Q But my question is are they advised that it may not  
15 mean that they are impaired it just may mean that the drug is  
16 present?

17 A That's correct.

18 Q All right. But yet if you have a reading, it would  
19 be an indicator -- it could be used as an indicator, right?

20 A It would be -- could possibly be one indicator.

21 Q Now, pulse rate, one of the things is it not true  
22 that the students are advised, they are actually advised that  
23 the ranges that they use are actually wider than used by  
24 doctors, whether it is blood pressure, pulse rate or pupil  
25 size, right? Oh, I am sorry, pulse, body pressure -- blood

1 pressure and body temperatures?

2 A That they're wider than what doctors use?

3 Q Yes.

4 A Not that I'm aware of.

5 Q You teach the preschool, is that correct?

6 A That's correct.

7 Q And when you do that, and when you turn -- when you  
8 talk about pulse, -- I am going to ask you first of all about.  
9 When we are dealing with pulse, that is taken three times  
10 throughout the course, is that right?

11 A That's correct.

12 Q And the pulse range that is used is 60 to 90, is  
13 that right?

14 A That's correct.

15 Q And when we are dealing with pulse range, any one of  
16 those ranges -- any one of those readings that are outside of  
17 that 60 to 90 would justify the DRE saying it is indicated,  
18 right?

19 A It could be high or it could be low, yes.

20 Q All right. I am going to show you -- refresh your  
21 recollection of January 2007 edition of the preschool. Will  
22 you take a look at that and see if it refreshes your memory as  
23 to what you were told about doctors?

24 A It does say humans are very widely depending on the  
25 person, they can have a different pulse rate and blood

1 pressure depending on their body -- and their body  
2 temperatures depending their physical fitness or lack of.

3 Q Illness, anxiety, heredity, correct?

4 A I'm sorry.

5 Q It also says that things can affect it like  
6 heredity, right, illness, anxiety, true?

7 A Yes.

8 Q And at the bottom, what does it say about the ranges  
9 being used by the DRE?

10 A It says our ranges are usually a little bit wider  
11 than what those used by doctors?

12 Q Okay. So, you weren't aware of that prior to that?

13 A I was not aware of that one, no.

14 Q All right. The DRE is also not instructed to ask if  
15 they get what they consider to be an abnormal range, to even  
16 ask what the person believes the normal range is, are they?

17 A Instructors will ask or tell the students to ask  
18 that but is it in the manual, no.

19 Q Okay. That would be something that is important to  
20 be in the manual, wouldn't you think?

21 A Could be.

22 Q All right, blood pressure. When we deal with the  
23 taking of blood pressure, the ranges are listed on the matrix,  
24 correct?

25 A That's correct.

1 Q And that is the ranges that the DREs follow, right?

2 A That's correct.

3 Q And if either the systolic or the diastolic is  
4 outside -- the reading is outside by two beats, that would be  
5 enough to say an indicated sign on the major indicator,  
6 correct?

7 A It could be one indicator, yes.

8 Q And we heard yesterday -- well, let me ask you this.  
9 How long is dedicated to teaching the taking of blood  
10 pressure?

11 A The actual teaching part?

12 Q Yes.

13 A If I could refer to a instructor's manual?

14 Q Give me roughly. I am not trying to hold this ---.  
15 I mean generally. You have been doing this for 20 years.

16 A I think that -- in the preschool, I think it's  
17 probably about an hour.

18 Q Okay.

19 A Okay. I am guessing here, maybe an hour and a half.

20 Q All right.

21 A And the seven-day school --

22 Q Yes.

23 A -- we go over it again and then we actually --  
24 throughout both the preschool and the seven-day school, they  
25 have practice time where they get to practice doing these.

1 Q Okay. Do you think it is something that can just be  
2 taught in a couple of minutes?

3 A Can I teach somebody do it in a couple of minutes?

4 Q Right?

5 A Sure.

6 Q Can you teach them to do it accurately in a couple  
7 of minutes?

8 A All depends on the ability of the student?

9 Q And of course these are students with no medical  
10 history or background coming in, right?

11 A Well, if you look at -- I mean we teach people all  
12 the time.

13 Q In a couple of minutes?

14 A In a couple of minutes. I mean a good example would  
15 be family relatives who need to take their spouse's blood  
16 pressure. And the doctor says this is how you do it.

17 Q Well, I think you would agree with me that the  
18 stakes are a bit higher when you are subject to being  
19 arrested, wouldn't you think at that point?

20 A I would have to disagree with you.

21 Q Okay.

22 A Because the person would be dying of a heart attack  
23 and if your spouse wants to keep that person alive, I would  
24 disagree.

25 Q Okay. Well, let me ask you about radar then. You

1 are a certified operator of radar, right?

2 A Yes, I am.

3 Q You sat here yesterday and watched as you did with  
4 all the witnesses, when we questioned Mr. Tower, right?

5 A Yes.

6 Q And Mr. Tower said that in order to --- radar, it  
7 has to be certified equipment, correct?

8 A That's correct.

9 Q It has to be shown to have been working properly and  
10 maintained properly, correct?

11 A That's correct.

12 Q And you actually calibrated both before and after  
13 you, in fact, take a reading, true?

14 A That's correct.

15 Q And even the radars are -- there are scheduled  
16 regular maintenance on that, true?

17 A That' correct.

18 Q In fact when we deal with intoximeter, you are an  
19 intoximeter operator, correct?

20 A I am.

21 Q And you know that there is regular calibration of  
22 that equipment, correct?

23 A There is.

24 Q How often?

25 A Monthly.



1 Q So, monthly someone verifies that that equipment is,  
2 in fact, working properly true?

3 A That's correct.

4 Q I mean even normally before each test it does a test  
5 standard, correct?

6 A It does a test before and after each test.

7 Q But even with that test, both before and after,  
8 there is still monthly check to make sure it is accurate,  
9 right?

10 A That's correct.

11 Q In the DRE protocol, is there absolutely anything  
12 that requires the calibration of any of the equipment?

13 A No, there is not.

14 Q So, as the blood pressure and the sphygmomanometer  
15 and the temperature, the penlite, there is actually no  
16 standards on what you should use, correct?

17 A There is not.

18 Q No standards on how to maintain it, correct?

19 A No, there is not.

20 Q No standards on how to calibrate it, correct?

21 A That's correct.

22 Q Now, you indicated yesterday that you have certain  
23 equipment that you particular use, right?

24 A That's correct.

25 Q Do you calibrate your equipment?

1 A No, I do not.

2 Q And you know of DREs that use their equipment for  
3 years, is that right?

4 A Mine.

5 Q All right. You used it for years?

6 A That's correct.

7 Q Now, are you familiar with the requirements in the  
8 field or even from the manufacture on calibration of that type  
9 of equipment?

10 A I have checked into that.

11 Q And when did you check into it?

12 A When I was purchasing the equipment.

13 Q And what did it tell you?

14 A I checked with the manufacturer and they said  
15 that -- first off, I checked with the doctors. I went to my  
16 doctors, I went to the hospital. I asked them when they are  
17 using manual blood pressure cuffs, how often are these  
18 instruments calibrated and they laughed at me and said these  
19 are never calibrated. If they were broken, we replace them.

20 I then checked with our EMS, SWAT Medics, and to the  
21 doctors who actually train in the use of manual blood pressure  
22 cuffs, our ambulance personnel, and, again, they said that  
23 that if it's on the zero and it's working properly, then it is  
24 used correctly.

25 I then checked with Steel, the distributor for our

1 medical equipment. And I got the same response from them.  
2 They said if we are using manual equipment, not the electronic  
3 kind that the doctors and hospitals are currently using, and  
4 the ones that your experts refer to, they have to be  
5 calibrated.

6 Q Oh, that my experts refer to?

7 A Yes.

8 Q You know what my experts refer to?

9 A When I was in here, I heard them talk about  
10 electronic equipment.

11 Q Don't believe that is true but okay. They were  
12 referring to the equipment here but I am not going to argue  
13 over that. You have an Aneroid Sphygmomanometer, is that  
14 correct?

15 A That's correct.

16 Q It is produced by Welch Allyn, is that correct?

17 A That's correct.

18 MR. DeLEONARDO: Your Honor, if I could have marked?

19 THE CLERK: Defendant's Exhibit 27 for  
20 identification.

21 (The document referred to was  
22 marked for identification as  
23 Defendant's Exhibit 27 for  
24 identification.)

25 BY MR. DeLEONARDO:

1 Q Service manual from Welch Allyn?

2 A Okay.

3 Q For the sphygmomanometer you have?

4 A Okay.

5 Q What does it say about periodic calibration  
6 requirements?

7 A During normal operation the location of the pointer  
8 within the oval square indicator that the instrument is most  
9 likely in calibration.

10 Q Most likely in calibration. Proceed?

11 A So, that's what we look at.

12 Q Okay.

13 A Should the pointer rest outside the oval box with  
14 zero pressure applied, the instrument should be recalibrated.

15 Q Okay.

16 A At that point if we don't have that -- it's outside  
17 that calibration, that piece of equipment is removed from  
18 service.

19 Q Okay. Proceed.

20 A Welch Allyn recommends that the calibration of  
21 mechanical sphygmomanometers may be checked -- or be checked  
22 using the following procedures on an annual basis if the  
23 pointer rests inside the oval box.

24 Q So, even if it is resting there, it is recommended  
25 its annual calibration, is that correct?

1 A That's correct.

2 Q And you turn to the next page. It tells you  
3 specifically how to calibrate, correct?

4 A Okay.

5 Q So the manufacturer, in fact, tells you that even if  
6 it is rested at the bottom at zero out, you should still  
7 annually calibrate that instrument, is that correct?

8 A It does say it here.

9 Q Well, that is very different than what you testified  
10 to, isn't it?

11 A That's correct. I was unaware of this.

12 Q Obviously. Now, as far as your blood pressure, the  
13 reason why the accuracy is important is because essentially as  
14 you go through and take a reading, you are essentially  
15 relying -- it is a judgment call when you take a reading, is  
16 it not?

17 A A judgment call?

18 Q Well, because you are trying to hear when the sound  
19 starts and where it ends, correct?

20 A That's correct.

21 Q So, what you are trying to do is you are making a  
22 judgment as to when the last time that you could actually hear  
23 sound, right?

24 A I guess if that is what you want to call it. I  
25 start it when I hear it and I --

1 Q Well, you would agree with me that two different  
2 people could come to two different readings, right?

3 A I would think that we would be very close.

4 Q But they could come to different readings, true?

5 A Actually, I don't see how we could. If we both can  
6 hear and we are using the same amount of equipment at the same  
7 time, then we should both be hearing the starting of the sound  
8 and the ending of the sound.

9 Q You have never had students that have had difficulty  
10 hearing the sounds?

11 A Once they start, yes. They are not sure what sounds  
12 they are actually listening for.

13 Q And you would also, would you not agree, that when  
14 you are taking the reading, you are taking the reading, you  
15 are listening and you are looking at the gauge?

16 A That's correct.

17 Q All right. When you are doing some of these -- well  
18 let me change. Let me change that. With the temperature, the  
19 temperature is the other thing that you take?

20 A That's correct.

21 Q And when you take temperature, it, again, when it is  
22 plus or minus one degree, that is an indication, is that true?

23 A That's correct.

24 Q And are the students advised what, if anything,  
25 could affect temperature other than drug impairment?

1           A     We go through the fact that if a person has the flu  
2 and they are aware that they would have an elevated  
3 temperature.

4           Q     Is that all?  Is that the only explanation that they  
5 are given for an elevated temperature?

6           A     There's other things out there but --

7           Q     Well, I know that there's other things out.  I am  
8 asking what they are advised?

9           A     I don't think there is a whole lot in that section  
10 about that.

11          Q     And similarly with blood pressure, they are not  
12 advised of other things that could affect the blood pressure  
13 other than drug impairment are they?

14          A     That's correct.

15          Q     Now, the other major indicator, the last one is  
16 muscle tone, right?

17          A     That's correct.

18          Q     How do you assess muscle tone -- well let me say  
19 this.  How does the DRE program assess muscle tone?

20          A     By feeling the person's arms, seeing if the person's  
21 arms are rigid or if they are near normal or if they are  
22 flaccid.

23          Q     So, you just feel, you just feel --

24          A     Starting at the top, coming down to the hands.

25          Q     Okay.  Now, is the student advised how to

1 distinguish between someone who simply has a lack of fitness  
2 versus someone that has -- that is flaccid as a result of drug  
3 impairment?

4 A We go through the fact that we are looking for -- if  
5 you think -- I don't want to say extremes but there should be  
6 no question in your mind that this is a flaccid arm rather  
7 than being a lack of muscle.

8 Q And of course, the DRE manual doesn't say look for  
9 extremes, does it?

10 A I don't believe so, no.

11 Q And, in fact, it simply says if you feel it and it  
12 seems rigid, then that is an indicator?

13 A Okay.

14 Q Well, okay, that's correct?

15 A Yes.

16 Q As far as -- so when we get to -- so that is the  
17 extent of what is described as the major indicators, whatever  
18 major means, right?

19 A Okay.

20 Q General indicators. Now, one of the things that you  
21 talked about was the field sobriety test. And first of all  
22 whether it is the performance on field sobriety, Romberg or  
23 finger to nose, none of those are classified as major  
24 indicators, are they?

25 A That's correct.



1 Q And, again, nowhere does it say how much weight the  
2 DRE is supposed to put on the fact on how someone performs on  
3 these tests, does it?

4 A It does not.

5 Q As far as the walk and turn, now you were trained as  
6 a field sobriety expert in administering the test, correct?

7 A That's correct.

8 Q And as part of that training, you were advised that  
9 the field sobriety test as we know then were -- as part of  
10 your training in that, you were told that it applied only to  
11 show an estimated presence of blood alcohol not impairment, is  
12 that right?

13 A For the SFST program, yes.

14 Q Correct. But in the DRE program, you teach the  
15 students that that is a sign of impairment, is that correct?

16 A That's a sign of psychophysical impairment, yes.

17 Q All right. As far as the walk and turn, when you  
18 are dealing with the walk and turn in this program, are the  
19 students advised how many -- I would say clues, I know that is  
20 not what you want to use, but clues that is necessary to say  
21 that that is a lack of coordination?

22 A No, they are not.

23 Q So, it is really a subjective decision up to the  
24 individual officer, right?

25 A That's correct.

1 Q And are they taught to account for age, medical  
2 conditions or anything in the performance of that?

3 A Oh, yeah, we have to look at the totality of  
4 everything.

5 Q Okay, but they are not told how much weight to put  
6 on that, right?

7 A They are not, not.

8 Q As far as -- so in the one leg stand, now, this is  
9 very different from field sobriety test, is that right?

10 A It's the same one leg stand that we use in the field  
11 sobriety test, we are just doing it twice, once on each foot.

12 Q Well, you were instructing in the field sobriety  
13 program that you only use one leg because the people may not  
14 be able to do both legs, is that right?

15 A No, we give them the option of using whichever one  
16 is easier for them.

17 Q And part of the training is that you give them the  
18 option that way some people may not be able to do both legs?

19 A Okay.

20 Q Well, okay, that was what --

21 A Very possible.

22 Q -- you were instructed, was it not?

23 A Yes, okay. I am agreeing with you.

24 Q All right. So, but in the DRE program you are now  
25 making them use both legs, right?

1 A That's correct.

2 Q And you are not only making them use both legs but  
3 you would agree with me that if they don't perform well,  
4 according to DRE, on either leg that is an acceptable  
5 indicator of impairment?

6 A It's one sign of impairment, yes.

7 Q Now, you are also aware that when it comes to the  
8 one leg stand and field sobriety test, there are certain  
9 people that are excluded from being able to take the test,  
10 right?

11 A Such as?

12 Q Such as the people that are over a certain weight?

13 A They are not excluded, they were just not validated  
14 for it.

15 Q And the instructors are told not to administer it to  
16 them, correct?

17 A No, you can --

18 Q Fifty years of age?

19 A -- go ahead and administer it, they are just not  
20 validated for them.

21 Q But in the DRE program there is no such restriction,  
22 is there?

23 A No, there is no real restriction in the SFST  
24 program.

25 Q It specifically says they should not be

1 administered, does it not?

2 A It does not. It says the tests were not validated  
3 for people who are --

4 Q Right.

5 A But it doesn't say you can't administer the test.

6 Q Okay. So, you are -- it is acceptable to use non-  
7 validated. Is that what you -- it is okay in the DRE program,  
8 right?

9 A I'm sure I understand.

10 Q In the DRE program, there is no restrictions on age,  
11 weight, anything in administering this, is there?

12 A We are still doing it the same way as we would in  
13 the SFST program.

14 Q But both legs?

15 A But both legs.

16 Q All right. Now, the finger to nose. When you do  
17 the finger to nose, you are asked to put your feet together  
18 and you tell them to tilt their head back, correct?

19 A Slightly, yes.

20 Q All right. Do you tell them how much slightly is?

21 A No, I do not.

22 Q So, that is really up to the judgment call of the  
23 DRE as to how much to have them tilt back?

24 A That's correct.

25 Q All right. When you have them tilt back, let me ask

1 you this. Is there anything in the manual that says how to  
2 score the finger to nose test?

3 A I don't believe there are scores, it's just  
4 observations that we record on our sheet.

5 Q Okay. So, the DRE is not instructed as to how many  
6 times if they missed, if that is significant or if they missed  
7 versus the pad, versus the very tip of the finger? There is  
8 nothing to tell them how much weight to put in that, is there?

9 A No, there is not.

10 Q All right. And you also agree that is not  
11 scientifically validated?

12 A That's correct.

13 Q The manual says that, right?

14 A That's correct.

15 Q The Romberg. This is also where you have them put  
16 their feet together and you ask them to tilt their head back,  
17 is that correct?

18 A Tilt their head back slightly.

19 Q All right. And, again, there is no determination as  
20 to how far that is, right?

21 A That's correct.

22 Q When this test is done, one of the things you are  
23 looking for is sway, right?

24 A That's correct.

25 Q Now in the field sobriety test that we talked about

1 in your training, one of the major emphasis is that you need  
2 to make sure that you tell the person exactly what is expected  
3 of them in order to -- so that when they can't do it, it has  
4 significance, correct?

5 A Okay, yes.

6 Q Yes. So, but in the Romberg test that is not what  
7 takes place, is it?

8 A That's correct.

9 Q I mean in fact when you tell the person to estimate  
10 30 seconds, they are not told how to do that, are they?

11 A The passage I -- they are explained that they need  
12 to -- the passage of 30 seconds.

13 Q Right. They are not told how to count, right?

14 A We leave that up to them on how to best to estimate  
15 that 30 seconds.

16 Q And, in fact, they are also not told that they -- to  
17 not sway, are they?

18 A They're not.

19 Q And when you initially do that, there is nothing in  
20 the manual that talks about obtaining a baseline first, is  
21 there?

22 A No, it does not.

23 Q As to scoring it, is there anything in the manual  
24 that says how much sway is okay?

25 A No there's not.

1 Q So, the DRE, if there is any sway in their mind, any  
2 slight sway, that would be acceptable according to the program  
3 to say there is an indication of uncoordination, correct?

4 A It's an observation and they would take note of and  
5 it could be one part of the test.

6 Q Okay. As far as the estimation of time, is there  
7 anything that says what is an acceptable estimation of time?

8 A I don't believe so, no.

9 Q So, if you are telling them to do it in 30 seconds,  
10 they do it in 29, then it would be acceptable for the DRE to  
11 say, well their body time clock is accelerated, right?

12 A Not necessarily, no.

13 Q Not necessarily, but it could, right?

14 A No, if they are within a couple of seconds, I don't  
15 think that you are going to see a DRE say that they are  
16 accelerated or passed. If they are 15 seconds before or  
17 after, then yes.

18 Q What about five seconds?

19 A I think then you are in that little area that it  
20 will be up to the DRE to determine where we are at.

21 Q So, again, it is up to the DRE and their judgment to  
22 decide what weight to place on that?

23 A On that one part.

24 Q Well, on that part as well as sway, correct?

25 A Yes.

1 Q Now one of the things that the manual also instructs  
2 is that they should look and listen for muscle tone, right?

3 A I'm sorry?

4 Q The manual as to the Romberg test says that they  
5 should also look and listen for muscle tone, is that correct?

6 A Look and listen for muscle tone?

7 Q That is what it says. You aware of that, that you  
8 should look for muscle tone in that step?

9 A I was unaware of the listen part. I am aware that  
10 it says look for muscle tone, look for body tremors and eyelid  
11 tremors, yes.

12 Q Now as far as the other general indicators, you  
13 would agree, I mean there is a number of them. I am not going  
14 to run through all of them but as to the general indicators  
15 that these general indicators are essentially judgment calls  
16 by and large by the drug recognition expert as to whether or  
17 it is not there or not, correct?

18 A I guess, yes.

19 Q And is there anything in the manual or the  
20 instruction that tells the DRE how to evaluate this  
21 information?

22 A No, it does not.

23 Q So, when they are using these general indicators,  
24 again, it is just up to whenever their opinion or their  
25 judgment is on these indicators here, correct?



1 A What their observations are.

2 Q All right. There is no special training to say,  
3 well, medically this is what loss of appetite would require,  
4 right?

5 A Not sure I understand.

6 Q Well, for example, one of the indicators that you  
7 have --- stimulants one of the general indicators is loss of  
8 appetite, right?

9 A Okay.

10 Q And that was something that was pulled from some  
11 PDR, correct?

12 A Could be, yes.

13 Q All right. And the DRE is not instructed what is  
14 required to demonstrate a loss of appetite, correct?

15 A No, but it would be something that would be recorded  
16 if I asked the person what have you eaten last, and they say,  
17 oh, I haven't eaten in days, I'm just not hungry, then I would  
18 record that as a loss of appetite.

19 Q What if they said, I haven't eaten today, would that  
20 be a loss of appetite?

21 A I would ask what time are we talking about today,  
22 are we talking about this morning?

23 Q Haven't eaten today, would that be an acceptable  
24 indicator based on the DRE's judgment?

25 A That would -- I would say, no. We would need more

1 information on that.

2 Q But you would agree with me that there are other  
3 DREs that would say yes?

4 A Not necessarily.

5 Q Well, they could and it would not be wrong --

6 A A lot of people -- if we are going with the example  
7 you just gave at 11 o'clock this morning, a lot of people just  
8 don't eat breakfast.

9 Q All right.

10 A And that would be normal.

11 Q All right, so, it --

12 A If they say they haven't eaten in two days --

13 Q What about talkative? How do you evaluate -- how  
14 does that evaluate from person to person?

15 A Just depends on how fast they are talking, how much  
16 they're talking. Are they excited, are they nervous, or are  
17 they just rambling on and on?

18 Q You have been around a long time, you have arrested  
19 a lot of people that could be talkative after arrest and have  
20 nothing to do with drugs, would you agree?

21 A Oh, yes.

22 Q Okay. And there is really, as you indicated, no  
23 standard for determining when someone is talkative from a drug  
24 impaired standpoint versus a normal condition, right?

25 A That's correct.

1 Q And typically your only contact with someone, in  
2 some examples, but typically, your only contact with someone  
3 is this particular incident, right?

4 A That's correct.

5 Q So, you don't know what their "normal" state is in  
6 general, right?

7 A Most of the time, you're right.

8 Q So when we reach the opinion and we have this chart,  
9 you indicated that there is nothing in the manual that says  
10 what the difference is between major and general and  
11 importance, correct?

12 A That I'm familiar with, yes.

13 Q All right. And you would also agree that there is  
14 no set number of indicators that have to be there in order to  
15 determine someone is impaired, correct?

16 A That's correct.

17 Q So, it could be one, it could be eight, right?

18 A You have to look at the totality of everything.

19 Q Right. Because, every individual DRE it comes down  
20 to their medical judgment, correct?

21 A That's correct.

22 Q And when we talk about -- when we get to this stage  
23 of reaching your opinion, and again we talked about this  
24 before, that even apart from not having to have a certain  
25 number of indicators, you don't actually even have to complete

1 all the steps, is that right?

2 A To put a person under the influence?

3 Q To reach your opinion that someone is impaired and  
4 unable to drive?

5 A I have to do an evaluation, yes.

6 Q Well, I understand that but is it not true that the  
7 DRE manual says you don't actually even have to complete all  
8 the steps. If the DRE feels they could still reach an  
9 opinion, that is fine.

10 A A person can -- a DRE can say that those signs and  
11 symptoms are consistent with. I'm not sure they can actually  
12 say they are under the influence if they haven't completed the  
13 evaluation.

14 Q Okay, well what is required to complete an  
15 evaluation? Do you have to do all the steps?

16 A You would have to basically go through the entire  
17 process and request a blood test or a chemical test.

18 Q All right. So, you would agree with me that the  
19 request and the requirement of a blood test, that is required  
20 for reaching your opinion?

21 A Well, the request is.

22 Q Oh, but you don't have to worry about the result?

23 MR. DAGGETT: Objection, Your Honor. You couldn't  
24 possibly. I mean how could you give an opinion when the --  
25 just on the drawing of the blood? It has to be sent to the

1 crime lab to be analyzed, et cetera. I mean it is one of the  
2 12 steps but it is certainly, it is not dispositive of the  
3 opinion, it is not required for the opinion.

4 MR. DeLEONARDO: Your Honor, I don't think that is  
5 an objection. I think it is arguable.

6 MR. DAGGETT: Well, it was a ludicrous question. I  
7 mean it just doesn't make any sense. So, I do object.

8 THE COURT: All right. I will sustain.

9 BY MR. DeLEONARDO:

10 Q Let me ask you. So, you reach your opinion  
11 prior --- blood work, correct?

12 A That's correct.

13 Q And when you actually reach your opinion, if the  
14 blood work comes back and shows none of that drug is present,  
15 for example, you reach a determination that someone is  
16 impaired by a CNS stimulant, for example, and you request  
17 their blood and that blood comes back and there is nothing in  
18 the blood, do you still believe that you can testify in Court  
19 that a person is impaired by a CNS stimulant?

20 A In -- sometimes, yes.

21 Q Okay. So, even with no cooperation, you believe it  
22 is still acceptable?

23 A It can be.

24 Q Is there anything in the DRE manual that says when  
25 it is and isn't acceptable?

1           A       Well, we what we would have to look at then is the  
2 lab.

3           Q       Okay, so you would look to the lab having a problem?

4           A       Well, what are the cutoffs of the lab? I mean what  
5 type of drug are we referring to? Good example, you picked  
6 cocaine, all right. Cocaine, when it continues to metabolize  
7 outside the body, so if I draw blood, that blood needs to be  
8 analyzed as quickly as possible.

9                   For whatever reason if that blood is not analyzed  
10 quickly, that could drop down below the threshold and it could  
11 come back no drugs detected even when there is drugs in the  
12 body.

13          Q       What about CNS depressants?

14          A       Well CNS depressants, we would have to look at the  
15 fact that every lab cannot test for every possible depressant.

16          Q       Okay. So when you did your DRE certification, when  
17 we talked about what was required, you told me if it wasn't  
18 confirmed, if you didn't have confirmatory lab results, that  
19 didn't count towards certification, correct?

20          A       That's correct.

21          Q       And you told me that because you want to make sure  
22 they got it right, correct?

23          A       That's correct.

24          Q       But yet you would come in and testify in a Court  
25 case without corroboration that is required for certification,

1 is that what you are telling me?

2 A Because of the fact that we are using two different  
3 tests now. We are using urine and blood. In urine, they can  
4 expand and test farther and, again, we are talking about the  
5 DRE process and we are talking about the lab.

6 Q Oh, I know what we are talking about. You told me  
7 that you wouldn't even allow a DRE to use that evaluation for  
8 recertification or certification if it wasn't confirmed by a  
9 laboratory, correct?

10 A That's correct.

11 Q But now you are telling me that you don't need a  
12 laboratory to confirm your opinion in Court, right?

13 A Well, we form an opinion prior to asking for blood  
14 because at that point we have determined that a person is  
15 impaired, that we've looked at everything, and now we are  
16 going to ask for blood. If we determined the person is not  
17 impaired, we wouldn't be asking for a toxicological sample.

18 Q So, when you look at -- if you had a DRE that  
19 regularly did not have confirmatory testing that showed that  
20 they even had it present in their system, would you recertify?

21 A I would have to look at is this person -- I would  
22 have to look at the evaluations, look at the face sheets, look  
23 at the reports, and see if I agree with what this DRE is  
24 calling is accurate.

25 Q Without having seeing the person, and this gets me

1 to the next thing. When you -- the DRE program, and I am  
2 going to give you a couple hypotheticals of what is  
3 appropriate. Let me ask you this.

4 The DRE, do you believe that a DRE could simply look  
5 at an arresting officer's report and a photo of the person and  
6 be able to testify and render an opinion on their impairment  
7 as to drugs?

8 A Can they say this person is under the influence?  
9 No.

10 Q So, you don't believe that it would be appropriate  
11 to just look at a police report and a photo and say, well,  
12 these are all signs and symptoms of drug impairment?

13 A They could say that that's signs and symptoms that  
14 are consistent with. That if the officer has written down  
15 good notes, good observations, but can that person then say  
16 this person is impaired? No.

17 Q So, it would -- that would be acceptable to the DRE  
18 program even without even seeing the person, right?

19 A Not sure I understand.

20 Q Oh, forget it, I will take that back. All right,  
21 one last question. When you talked about making your medical  
22 diagnosis as you go through and do this, you would agree with  
23 me that the DRE, when they are exercising this medical  
24 judgment, they are doing it based on their DRE training  
25 exclusively once they are certified, correct?



1           A     No, I would put it towards --

2           Q     Well, let me rephrase that, I didn't mean it that  
3 way. The DRE when they are certified, you would agree with  
4 me, as we talked about, they are just as able to make a  
5 determination as any other DRE at that point, correct, and  
6 they are certified?

7           A     Still not sure I understand your question.

8           Q     When a DRE is certified, that is the IACP saying  
9 that this DRE is fully capable of rendering an opinion that  
10 someone is impaired by a drug and not able to operate a  
11 vehicle safely, correct?

12          A     That's correct.

13          Q     And in doing that, as you agree with me, they are  
14 exercising their judgment, their medical judgment, as to what  
15 is going on with a person, correct?

16          A     That's correct.

17          Q     And so as a result of that, you would agree with me,  
18 that that medical judgment they are exercising at that point  
19 is as a result of their training from the program or whatever  
20 other outside activities they choose to do, correct?

21          A     Well, that would be a totality of all their  
22 training. Are they first responders, are they trained as a  
23 paramedic? What training did they have in high school? Are  
24 they a boy scout? Did they have first aid? I mean looking at  
25 everything.

1 Q But, again, it is not a requirement to be a  
2 paramedic to be a DRE, is it?

3 A That's correct.

4 Q Not required to be a nurse to be a DRE, right?

5 A That's correct.

6 Q An EMT, correct?

7 A That's correct.

8 Q All right. And none of you when you get your  
9 certification are medically licensed to practice medicine in  
10 Maryland, are you?

11 A That's correct.

12 Q All right, that is all I have. I think  
13 Mr. Cruickshank has something.

14 MR. CRUICKSHANK: Just a couple of questions.

15 THE COURT: All right.

16 CROSS-EXAMINATION

17 BY MR. CRUICKSHANK:

18 Q Mr. DeLeonardo touched on -- good morning.

19 A Good morning.

20 Q Mr. DeLeonardo touched on some of the eye exams that  
21 the DREs do. One of the eye exams that is in the manual is  
22 the vertical gaze nystagmus test, is that correct?

23 A That's correct.

24 Q Okay. Can you describe to the Court how the  
25 vertical gaze nystagmus test is taught?

1           A     It is taught so we ask the subject to follow our  
2 stimulus. We are taught to -- they are taught to turn their  
3 stimulus sideways. They will take it up and hold it there for  
4 four seconds or at least four seconds and then bring it back  
5 down and they will do that twice.

6           Q     Okay. And what would be an indicator, a vertical  
7 gaze nystagmus when the test is done according to the manual?

8           A     If you were to see vertical nystagmus, you would see  
9 distinct and sustained vertical bouncing of the eyes.

10          Q     And do you have your matrix in front of you?

11          A     Yes, I do.

12          Q     Okay.

13          A     I have both of them.

14          Q     And looking at either one of those matrix, there is  
15 a category for vertical gaze nystagmus, is that correct?

16          A     That's correct.

17          Q     And vertical gaze nystagmus is a major indicator on  
18 both your matrix and Mr. DeLeonardo's matrix, is that correct?

19          A     That's correct.

20          Q     And in what categories do you find vertical gaze  
21 nystagmus as a major indicator?

22          A     You would see that in alcohol -- oh, I'm sorry, CNS  
23 depressants, and eye concentrations or eye dosage is exactly  
24 the word they use, dissociative anesthetics, and inhalants in  
25 high dosages.

1 Q Now referring to the manual both the student manual  
2 and the teaching manual, either one of those manuals teach or  
3 explain how to identify vertical nystagmus?

4 A Not sure I understand what you are saying.

5 Q In the manual is there a section on vertical  
6 nystagmus?

7 A No. Vertical gaze nystagmus is what we teach.

8 Q When you teach it, it is vertical gaze nystagmus, is  
9 that correct?

10 A That's correct.

11 Q So, in none of your teaching or in the manual, there  
12 is no differentiation between a vertical gaze nystagmus and a  
13 vertical nystagmus, correct?

14 A That's correct.

15 Q And when you do a DRE evaluation, you need to write  
16 everything down on a face sheet, is that correct?

17 A That's correct, and whatever other notes that you  
18 possibly need.

19 Q All right, and you call it a face sheet?

20 A Yes, it is.

21 Q And face sheets are all standardized?

22 A Not necessarily.

23 Q Okay. Is there a section on your face sheet for  
24 vertical gaze nystagmus, the ones that you use?

25 A Yes.

1 Q And do you use ones that you got from the manual?  
2 Where did you get your face sheets from?

3 A We actually got it from the IACP but we then  
4 converted it to include citation number -- add some little  
5 things to it such as citation number, report number and the  
6 logo for the department.

7 Q Now, the IACP face sheet -- let me just show you  
8 what I have here and see if --

9 A Okay. This is the student manual that you all have.

10 Q Now, somewhere in this manual, I am going to try to  
11 locate it as quickly as I can. There is a section where they  
12 have students complete face sheets, is that correct?

13 A Yes, there should be.

14 Q Okay. And those face sheets should be standardized  
15 face sheets, correct? Let me show you one. It looks like I  
16 am looking in Section 25, it looks like page 5, all right?

17 A Okay.

18 Q Would you just turn the back so you can see what the  
19 Exhibit No. is?

20 A Oh, I'm sorry.

21 Q It looks like Exhibit 5.

22 A That's correct.

23 Q Okay. And I just want to draw your attention to the  
24 box across from pupil size. That box says vertical nystagmus,  
25 is that correct?

1 A That's correct.

2 Q Okay, thank you. When Mr. DeLeonardo was  
3 questioning you, you made reference to the fact that you and  
4 your practices of DRE use the Physicians Desk Reference, is  
5 that correct?

6 A That's one resource that we use, yes.

7 Q When you use the Physicians Desk Reference, do you  
8 use the section on signs and symptoms for particular drugs?

9 A We can use the entire -- whatever we need to out of  
10 the book.

11 Q So, you would use the section on adverse incidents?

12 A If it is relevant to the situation, yes.

13 Q Are you familiar with the federal regulations  
14 governing the use of the Physicians Desk Reference?

15 A No, I'm not.

16 Q Did you know that the Physicians Desk Reference  
17 actually makes acausal connections not causal connections  
18 between the drug and the symptom?

19 A I did not.

20 Q Thank you. No further questions.

21 THE COURT: All right. We are going to take a  
22 recess. We will take 15 minutes.

23 THE CLERK: All rise.

24 (Whereupon, a brief recess was taken.)

25 THE CLERK: Silence in Court, all rise.

1 THE COURT: Be seated, please.

2 MR. WELLS: For the record, Adam Wells, spelled  
3 W-e-l-l-s, on behalf of the State. Dave Daggett, spelled  
4 D-a-g-g-e-t-t. And we are back on the record for Charles  
5 Brightful, et al., the Frye-Reed hearing.

6 MR. DeLEONARDO: Brian DeLeonardo,  
7 D-e-L-e-o-n-a-r-d-o.

8 MR. CRUICKSHANK: Alex Cruickshank,  
9 C-r-u-i-c-k-s-h-a-n-k.

10 THE COURT: All right, Mr. Wells.

11 MR. WELLS: Thank you, Your Honor.

12 REDIRECT EXAMINATION

13 BY MR. WELLS:

14 Q Good afternoon, Officer Morrison -- or --

15 A Good morning.

16 Q -- morning. You have been on the stand for awhile  
17 and I just want to give you the opportunity to respond to some  
18 of the very specific examples that Mr. DeLeonardo raised. One  
19 of those was with regards to essentially a hypothetical.

20 If you had the example of the Defendant had blown a  
21 .05 at the breath test prior to submitting to the DRE  
22 evaluation, and then during the DRE evaluation, he exhibited  
23 two out of six clues on the horizontal gaze nystagmus. Now,  
24 how does the DRE protocol take that into consideration?

25 A That's just one step, that is just one part of the

1 entire process. And that could be consistent with but we  
2 would have to look at the entire everything, each step, each  
3 component of each step to see if that would play a factor if  
4 this person -- if that .05 is playing a factor in their  
5 impairment.

6 Q Now is it fair to say that the DREs do and are  
7 required to use their judgment during the process?

8 A Most definitely. Everything that we do, we have the  
9 guidelines, we have the standardized and the systematic  
10 approach, but it doesn't take away from the DRE's judgment of  
11 what they see.

12 Just like a regular DWI, it doesn't take away from  
13 an officer's judgment to determine if a person should be  
14 arrested and if they are impaired.

15 Q Now there was another specific incident that he  
16 raised. He asked about therapeutic use of drugs and how some  
17 drugs as therapeutic use may cause -- say horizontal gaze  
18 nystagmus or some of the issues with the eyes. How does the  
19 DRE protocol take into consideration or help you to deal with  
20 the issue of the use of therapeutic use of drugs?

21 A If the person is taking their therapeutic portion of  
22 drugs, then we shouldn't see impairment to the point where  
23 that person would be under arrest, would be unable to operate  
24 the vehicle safely.

25 But, again, this is just one minute part of the



1 entire process. We are looking at everything and combining  
2 all that information together to form an opinion, is this  
3 person impaired.

4 Q Now with regards to, again, the therapeutic use of  
5 drugs, is there an opportunity to find out if that person is  
6 on a therapeutic type of drug. I mean are you asked -- is  
7 there a section where they do ask about that?

8 A Yes. During the preliminary exam, one of the  
9 questions we ask is are you taking any type of drugs or  
10 medication? And if we get that response, then we teach the  
11 students to go beyond what those questions are.

12 You have got those sets of questions but ask more  
13 about, you know, what are you taking, how are taking it? If  
14 they know the quantity, they may be even able to give you a  
15 sample -- show you the bottle. All of this information to  
16 expand on determining if this person should be arrested or be  
17 charged or whether they are impaired.

18 Q Now specifically with regards to the preliminary  
19 examination, the questions that are in there are -- there are  
20 only listed, is that right?

21 A That's correct.

22 Q Now, are they limited to those six questions?

23 A No.

24 Q Are they encouraged or are they trained to do  
25 anything else with those six questions?

1           A     They are encouraged to do more.

2           Q     How so?

3           A     Good example is when was the last time you were at  
4 the doctor's? Do you know what your normal blood pressure is?  
5 Do you know what your normal pulse rate is? These are  
6 questions that are routinely asked but we have a face sheet  
7 that only has so much space.

8                     And we could actually write an entire book but that  
9 is not really, that would be the extreme. We are dealing with  
10 a simple face sheet that we try to keep it simple but, yes, we  
11 want the students -- or the DRE to continue and to find out  
12 more.

13          Q     Now one more thing that he has brought up is he,  
14 Mr. DeLeonardo has routinely referred back to the manuals,  
15 either the teacher manuals or the student manuals. Is  
16 everything that is -- meaning is that the total sum and parcel  
17 of everything that is taught or trained or encouraged with the  
18 DRE protocol?

19          A     That is the standardized systematic class. That is  
20 what, yes, we encourage -- we want them to teach from and,  
21 like I spoke yesterday, we can always add to it. You cannot  
22 subtract from it.

23                     And that's why when we get into those questions, we  
24 want them to ask additional questions, find out about their  
25 medical history. Find out how does this affect them, what

1 type of medical history they have and to what extent and what  
2 type of medicine or drugs they are taking?

3 Q Now with regards to -- I will kind of lump these  
4 together just kind of in the interest of time, he had  
5 examples -- the examples to phrase towards you were pulse  
6 range, blood pressure, temperature and specifically I believe  
7 Romberg for pulse range, if was over just slightly or blood  
8 pressure, again, if it was over just slightly.

9 Temperature, plus or minus one degree and the  
10 Romberg's estimation of the time if it was off by one second.  
11 Again, these are the specific examples that he gave and they  
12 are small ones.

13 Does the DRE protocol have a way to deal with those  
14 very specific issues?

15 A Well, we are still not talking about judgment. What  
16 is the DRE, is that within what they consider to be the normal  
17 range, you know, one beat off? But we are also talking maybe  
18 that is the higher range or the lower range of that person.  
19 But, again, that's only one minute part of the entire process.

20 MR. WELLS: Court's indulgence.

21 (Pause.)

22 MR. WELLS: Your Honor, I have no further questions.

23 I will defer to Mr. Daggett.

24 REDIRECT EXAMINATION

25 BY MR. DAGGETT:

1 Q Officer Morrison, Mr. DeLeonardo went through the, I  
2 guess the matrix of the drug category symptomatology chart. I  
3 have a series of questions for you.

4 HGN taken on its own, is that dispositive of drug  
5 impairment or being under the influence?

6 A By itself with nothing else?

7 Q Yes.

8 A No.

9 Q Vertical nystagmus, is that dispositive?

10 A You are talking about just by itself?

11 Q By itself?

12 A No.

13 Q Lack of convergence?

14 A No.

15 Q Pupil size?

16 A No.

17 Q Reaction to light?

18 A No.

19 Q Pulse rate?

20 A No.

21 Q Blood pressure?

22 A No.

23 Q Body temperature?

24 A No.

25 Q Or muscle tone?

1 A No.

2 Q Now do you look at those in their totality?

3 A We do, we combine all that together to see if those  
4 are a factor and if so, how much of a factor are they playing?

5 Q Now general indicators, lack of coordination taken  
6 on its own, do that indicate impairment by drugs?

7 A No, it does not.

8 Q Disorientation?

9 A No, it does not.

10 Q Slurred speech?

11 A No.

12 Q Drowsiness?

13 A No.

14 Q Droopy eyes?

15 A No.

16 Q And I believe that the drug category symptomatology  
17 chart is in evidence, is that correct, that you know of?

18 A That's correct.

19 Q Okay. Shallow breathing?

20 A No.

21 Q Cold, clammy skin?

22 A No.

23 Q Increased body temperature?

24 A No.

25 Q Pulse rate that is beyond either above or below the

1 normal range?

2 A No.

3 Q Pupil size, large, small?

4 A No.

5 Q Blood pressure?

6 A No.

7 Q Body temperature?

8 A No.

9 Q What about a coma? I mean is that in and of itself  
10 dispositive of drug impairment?

11 A If they are in a coma, DRE is not going to be  
12 involved in it.

13 Q Bloodshot watery eyes?

14 A No.

15 Q Flushed face?

16 A No.

17 Q Poor balance?

18 A No.

19 Q All of the other -- you also do DUI/DWI arrests  
20 driving under the influence of alcohol?

21 A I do.

22 Q I assume you have made a number of DUI/DWI arrests  
23 in your day?

24 A I have.

25 Q And you filled out reports?

1 A Yes, I have.

2 Q Walk and turn test, in and of itself, dispositive of  
3 a DUI?

4 A No, it's not.

5 Q Are any of the factors that you look at for an  
6 arrest, for driving under the influence of alcohol or driving  
7 while impaired by alcohol, what is the only factor -- or is  
8 there any one factor taken by itself, that is dispositive of  
9 impairment or being under the influence of alcohol?

10 A That would be a chemical test.

11 Q So the breath test or the blood test for alcohol is  
12 the only one of all these factors that we have been hearing  
13 about for the last 14 days or over the last five months or so,  
14 the breath test for alcohol or the blood test for alcohol is  
15 the only one that is dispositive by itself?

16 A For alcohol, yes.

17 Q Is there any one at all, any one factor taken by  
18 itself, that is dispositive of impairment by drugs?

19 A No.

20 Q And what is the time -- there was a lot of,  
21 Mr. DeLeonardo and Mr. Cruickshank asked you a series of  
22 questions about the reports and the drug influence evaluation  
23 and all of the things that you -- I guess what they think you  
24 should do or should be required to do before you make your or  
25 come up with your opinion. What is the time limitation for

1 drawing blood to check for drugs or CDS from the time of  
2 arrest?

3 A In the State of Maryland, it's four hours.

4 Q Okay. And that is from time of arrest, is that  
5 correct?

6 A That's correct.

7 Q And you are not there at the time of arrest, are  
8 you? I am talking about the DRE is not there at the time of  
9 arrest?

10 A Most of the time, no.

11 Q Would it be possible to do everything that the  
12 defense would ask you about and still be able to come up with  
13 an opinion and ask for a blood test to be done within four  
14 hours?

15 A I'm not sure I understand.

16 Q From all the questions that you were asked about,  
17 all the things that supposedly that you do not ask, the  
18 questions that they wanted to know whether you asked the  
19 subjects certain questions?

20 A Okay.

21 Q Would it be possible to do everything that they  
22 asked of you and still have time to ask for a blood test  
23 within a four-hour time period?

24 A It would depend on the situation.

25 Q One final question, you used the term -- and I know



1 the that Court hears it a lot, we hear it in search warrants,  
2 we hear it on a lot of different things but as far as -- and I  
3 believe you used it a number of times, totality of the  
4 circumstances.

5 A That's correct.

6 Q Could you explain to the Court what you mean when  
7 you said that you need to look at the totality of the  
8 circumstances in order to make your opinion on drug  
9 impairment?

10 A Your Honor, I don't look at one particular thing. I  
11 don't hang my hat on one particular thing. We have talked --  
12 taught all the examples that Mr. Daggett just gave. I want to  
13 see that first off, this person is impaired, they're  
14 psychophysical impairment, and that by looking at everything  
15 from every part of my evaluation that this is consistent with  
16 somebody who would be impaired by this drug.

17 And do we have to have every minute thing? No. But  
18 by using my judgment, I feel that this is what's causing the  
19 impairment.

20 MR. DAGGETT: No further questions.

21 THE COURT: Any recross?

22 MR. DeLEONARDO: Very briefly.

23 RECCROSS-EXAMINATION

24 BY MR. DeLEONARDO:

25 Q Just touch on the -- you were asked about the .05

1 and the effect on HGN, Mr. Wells was just asking about that.

2 When a person has .05, they could have HGN, correct?

3 A They could display HGN, yes.

4 Q Okay. Now, but in the categories here, the only  
5 categories where there is present for HGN as an indicator is  
6 CNS depressant -- I'm sorry, for dissociative anesthetic and  
7 an inhalant, correct?

8 A That's correct.

9 Q So, if someone had a .05, one of the reasons that  
10 you look for HGN or you start with the eyes first is because  
11 it helps you narrow down the categories, correct?

12 A That's one thing, yes.

13 Q Okay. But then -- that would, you would agree with  
14 me, would prevent you from being able to narrow the categories  
15 down based on the eyes, right?

16 A By that one thing?

17 Q Well, by HGN, correct?

18 A I'm not sure what you are asking.

19 Q Well, what I'm asking is you say a .05 can produce  
20 horizontal gaze nystagmus, correct?

21 A That's correct.

22 Q Have you been taught whether it can produce vertical  
23 nystagmus?

24 A It would not.

25 Q So, they are taught that vertical nystagmus will not

1 be caused by alcohol in the system?

2 A At a .05.

3 Q At what point can it cause, are they taught?

4 A A high concentration of alcohol for that person.

5 Q .05 can also cause lack of coordination, correct?

6 A Yes, it could.

7 Q It can cause a lot of the other indicators that  
8 Mr. Daggett pointed out to you, correct?

9 A It could, yes.

10 Q So, in the end, it is ultimately your medical  
11 judgment as to whether or not it is caused from alcohol,  
12 drugs, combination of two or medical condition, correct?

13 A Well, again, we are looking at everything. I mean  
14 if we are just have the few things, is this person impaired at  
15 all? At a .05, we wouldn't expect to see somebody who is  
16 impaired.

17 Q Oh, no, okay. I will remember that for my future  
18 cases. When you are dealing with the -- I guess the point  
19 that I was trying to get to is you were asked about  
20 therapeutic uses and you said, well, with therapeutic drugs,  
21 we shouldn't see impairment, correct?

22 A That's correct.

23 Q But what you are looking for to find impairment is  
24 these major indicators, correct?

25 A That's correct.

1 Q So, are you saying that you cannot have these major  
2 indicators if you have just taken a therapeutic dose of a  
3 drug, is that what you are saying?

4 A If you are taking your therapeutic dose of the drug  
5 you may not be causing those in general -- in those general  
6 indicators -- major indicators.

7 Q Okay. So the DRE officers are taught that if a  
8 person is just taking a therapeutic level, you would not have  
9 these major indicators, isn't that right?

10 A Yes.

11 MR. DeLEONARDO: That is all I have.

12 THE COURT: All right, Mr. Cruickshank?

13 MR. CRUICKSHANK: Just one.

14 RECROSS-EXAMINATION

15 BY MR. CRUICKSHANK:

16 Q When Mr. DeLeonardo referred to vertical nystagmus,  
17 was you understanding in your answer that he was talking about  
18 vertical gaze nystagmus as you are trained to understand it in  
19 your manual?

20 A I only know of one vertical gaze nystagmus.

21 Q Thank you.

22 THE COURT: All right. I am sorry you will be -- I  
23 am sure you will be sorry to hear this, officer, but you can  
24 stand down.

25 (Laughter.)

1 THE WITNESS: Thank you, Your Honor.

2 (Witness excused.)

3 MR. DAGGETT: Our final witness, Your Honor, will be  
4 Lieutenant Thomas Woodward.

5 THE COURT: How long do we need for Lieutenant  
6 Woodward?

7 MR. DAGGETT: I don't know. Hopefully, we can be  
8 done by 12:30/12:40. Not just me, but I mean in its entirety.

9 MR. DeLEONARDO: I am not really sure what all he is  
10 testifying to. And I guess maybe we could start with his  
11 proffer because it is a rebuttal witness.

12 MR. DAGGETT: Well, he is not a rebuttal witness.  
13 At this point, he is the current -- we had Bill Tower who was  
14 the former DRE supervisor. He is the current.

15 MR. DeLEONARDO: Well, I seem to recall that he was  
16 proffered previously that he was a rebuttal witness, and so I  
17 guess I was wondering why if he is not now a rebuttal witness,  
18 what changed between the last hearing and now.

19 Because, we were advised that he was merely being  
20 called as a rebuttal last time and if, Your Honor, may recall  
21 I said well I want to know what he is rebutting because at  
22 that point we had called three medical experts.

23 So, I don't know what he is adding that hasn't been  
24 covered by two DREs and certainly what he is rebutting in the  
25 medical community, I don't think it would be -- I don't think he is

1 qualified to rebut.

2 THE COURT: Well, he is not a rebuttal witness.

3 MR. DeLEONARDO: What?

4 THE COURT: He is not a rebuttal witness, and I  
5 would agree with you, rebuttal of any medical testimony unless  
6 the witness is a doctor, would probably not be admissible.

7 MR. DAGGETT: Right, he wouldn't be doing that. He  
8 is the -- since he is the current and has been for the most  
9 part of the last decade, I just think it is important for the  
10 Court hear about what has been going -- you heard from  
11 Mr. Tower so now I just think it is important for Mr. --  
12 Lieutenant Woodward.

13 THE COURT: All right. Well, let's --

14 MR. DeLEONARDO: Well, if I could just ask one  
15 thing, the other thing I would ask is, and I am not trying to  
16 be technical about this, but we have not received anything as  
17 to what the nature of his testimony is.

18 So as I sit here right now, I have no idea what he  
19 is here to talk about. So, I think in fairness and I want to  
20 hear it but at least to have some time to prepare a cross-  
21 examination since I have no idea what he is going to talk  
22 about.

23 THE COURT: All right.

24 MR. DeLEONARDO: I mean I don't know that I will  
25 need it but I am just raising the issue ahead of time so Your

1 Honor knows it.

2 MR. DAGGETT: I imagine the cross-examination will  
3 be the exact same thing it was of Mr. Tower.

4 MR. DeLEONARDO: Well, I have to go get that book  
5 out of my car.

6 THE COURT: Well, my guess is you will be able to do  
7 that during the lunch recess.

8 MR. DeLEONARDO: Okay.

9 THE COURT: All right, let's move on.

10 THE CLERK: Please remain standing and raise your  
11 right hand.

12 Whereupon,

13 LIEUTENANT TOM WOODWARD

14 was called as a witness by the State, having been first duly  
15 sworn, was examined and testified as follows.

16 THE CLERK: Thank you, you may be seated. For the  
17 record, please state your full name, spelling your first and  
18 last and give us your current duty assignment.

19 THE WITNESS: It's Lieutenant Tom Woodward of the  
20 Maryland State Police, I am currently serving as the Commander  
21 of the Hagerstown Barrack in Washington County.

22 I spent five years prior to that as the commander of  
23 our chemical test for alcohol unit.

24 DIRECT EXAMINATION

25 BY MR. DAGGETT:

1 Q Tell us briefly your police background?

2 A I've been a law enforcement officer for 33 years, 25  
3 of that with the Maryland State Police. I served in the  
4 capacities of planning and research, criminal investigation,  
5 road patrol, I'm an instructor in the standardized field  
6 sobriety testing, drug recognition expert, a drug evaluation  
7 classification program. And I have served as a State  
8 Coordinator as you said for most of the last 10 years.

9 Q Now can you explain your current -- well, your  
10 current position I guess is at the Hagerstown Barrack, but  
11 when you were as far as the DRE program. Explain what your  
12 duties and responsibilities were are for the DRE program?

13 A For the DRE program, I am responsible for ensuring  
14 that our program is operated within the guidelines of the  
15 International Association of Chiefs of Police. That all of  
16 our drug recognition experts receive the appropriate training  
17 and that all of them meet the qualifications for certification  
18 and recertification.

19 Q And how do you go about doing that?

20 A I review the paperwork. We get -- in Maryland, we  
21 have agency coordinators for the particular departments who  
22 are responsible for their particular DREs. They report to  
23 regional coordinators who also help ensure that all of the  
24 paperwork for certification, recertification is within the  
25 guidelines.



1           They submit that to me, I, for the third time check  
2 and make sure it is appropriately -- that they have met all  
3 the guidelines before I submit it to the IACP.

4           As far as the training, I take part in at least some  
5 degree in all of our training courses for the DREs.

6           Q     Now, I am going to ask you what -- as far as  
7 training goes for the applicants, because the law enforcement  
8 officers who are the applicants for the DRE program, could you  
9 indicate to the Court, what the State of Maryland and what you  
10 require or what is required of the applicants as far as  
11 training goes?

12          A     Okay. Well, by International Association of Chiefs  
13 of Police standards anyone being considered for the drug  
14 evaluation classification program has to have previously been  
15 trained in the standardized field sobriety test.

16           The National Highway Safety Administration --

17          MR. DeLEONARDO: Your Honor, I am sorry. I am just  
18 going to object from the standpoint that, I mean we have  
19 covered this through two witnesses now.

20           We covered it through Officer Morrison and  
21 Mr. Tower. I mean if there is something additional that is  
22 new, if he wants to target that but to go through field  
23 sobriety, certification, preschool, DRE -- I mean I don't know  
24 what it is adding to the testimony.

25           I mean, again, if you have certain things that you

1 want to add that hasn't been -- I mean I note that is fine, I  
2 am just trying to --

3 MR. DAGGETT: He is the head of the program. I  
4 think it is important for him to explain to the Court exactly  
5 what has been going and what Maryland requires and what  
6 Maryland has done.

7 Now he is the one who is the current head of the  
8 program and I think he has more knowledge than anybody else  
9 and I think it is important to lay that out.

10 THE COURT: Well, I guess my question is I think  
11 Lieutenant Woodward was here during prior testimony. I mean I  
12 am sure he heard what Mr. Tower and Officer Morrison said.

13 I mean it might be a good idea to try and focus on  
14 anything that is different than what we have already heard.  
15 But I am not going to unduly limit some of maybe repetitious  
16 but -- all right?

17 MR. DeLEONARDO: Okay.

18 THE WITNESS: Okay, I can maybe narrow it down a  
19 little bit. Mr. Daggett, if you will, let me know if I am  
20 not covering something you think is appropriate but one of the  
21 issues that I heard brought up was what the International  
22 Associations of Chiefs of Police allows in the training.

23 And a recent revision in the manual does allow a  
24 combination of standardized field sobriety testing. The DRE  
25 preschool and the DRE seven-day school.

1 I can ensure you that we have never done that in  
2 Maryland and as long as I am the coordinator we never will do  
3 that.

4 We want any candidate for our DRE program to be  
5 experienced in the use of the standardized field sobriety  
6 tests. We want road experience. And we have a standard of a  
7 minimum of one year being a practitioner and we will evaluate  
8 their experience. One year may not be sufficient for somebody  
9 who has done a limited amount of impaired driving enforcement.

10 If we have somebody who has been aggressive, we may  
11 allow them in with just one year of experience. Usually it is  
12 more than that before they are admitted to the DRE school.

13 We do teach a combined DRE preschool, DRE seven-day  
14 school. We have taught them with a gap in between and we have  
15 taught them combined. We see no difference in the  
16 capabilities of the students in those two programs. Whether  
17 there is time in between the two schools or whether they are  
18 combined. The advantage to us in having them combined, it is  
19 easier for scheduling for their law enforcement agencies who  
20 send those students to the school.

21 BY MR. DAGGETT:

22 Q And what do you mean by -- explain what you mean by  
23 that?

24 A Well, there is a two-day preschool, DRE preschool,  
25 and the seven-day DRE school. And years back we would teach

1 the DRE preschool and then we would have maybe three or four  
2 weeks between that and the DRE seven-day school.

3 In recent years, we have eliminated that. We teach  
4 the preschool and we move right in to the seven-day school.  
5 We will do the two-day preschool and then the very next day  
6 for those who successfully complete the preschool, move right  
7 into the seven-day school. There is just no time in between  
8 them.

9 Q And we heard yesterday, I believe, that the national  
10 program is the combination of three schools together?

11 A It gives that option.

12 Q Gives that option, I am sorry.

13 A Correct.

14 Q And what was the third and what would be -- what was  
15 the third component that the National that --

16 A Well, they allow the combination of the standardized  
17 field sobriety testing preschool --

18 Q The SFST, okay.

19 A -- and seven-day school --

20 Q Okay, so it is the SFST.

21 A -- yeah, we do not do that in Maryland.

22 Q Why do you not do that in Maryland?

23 A Just a personal preference. I -- and I say I, we  
24 have meetings with the coordinators and I don't want to  
25 dictate, we will get a sharing of the opinion and we all tend

1 to agree that it is important to make sure that the students  
2 for the DRE school have practical experience in enforcing our  
3 impaired driving laws.

4 That's why we want at least a minimum of one year's  
5 experience enforcing this.

6 (Long pause.)

7 MR. DAGGETT: Court's indulgence, please, Your  
8 Honor. I don't have a whole lot more in light of --

9 (Pause.)

10 BY MR. DAGGETT:

11 Q Did you work for Mr. Tower?

12 A I did. I was under his command for about two years  
13 before he was transferred.

14 Q And you took over the DRE program when?

15 A He was transferred and I have to look at my CV  
16 but -- 199--, late 1991, I believe it was. I served in that  
17 capacity through 1995 beginning of the year. Turned that over  
18 because my assignment at that time, I didn't feel it gave me  
19 the time that I wanted, I felt I needed to devote to the  
20 program.

21 So I asked that it be given to another individual  
22 and it was. That individual kept it for a couple of years  
23 until they retired. At which time, my assignment was back in  
24 the chemical test for alcohol unit.

25 So I reassumed that responsibility with the

1 authority of the Governor's Highway Safety Representatives who  
2 by the IACP makes that appointment.

3 Q Now under your stewardship, I guess, does the  
4 Maryland -- is the Maryland DRE program -- well, maybe you  
5 can't answer this. Maybe I am not phrasing it very  
6 articulately but are you more strict? Would you describe  
7 yourself as more strict or less strict in the national  
8 standards?

9 A Much of our program, we have more stringent  
10 requirements than what the IACP requires. An example is that  
11 one year of experience, another is the final knowledge exam  
12 that Dave had described to you.

13 We require 100 percent on that. That is not the  
14 IACP standard. We are very strict there. Urinalysis results  
15 during training we require at least 80 percent. That is more  
16 stringent than what the IACP standards are.

17 So, we do have our standards in many areas higher  
18 than what the IACP requires. We look at theirs as a minimum  
19 standard. They allow us to set more stringent standards if we  
20 choose to do so.

21 MR. DAGGETT: That is all I have, Your Honor.

22 THE COURT: Cross?

23 CROSS-EXAMINATION

24 BY MR. DeLEONARDO:

25 Q When you were talking about the revisions that were

1 made in the three-day course, the three-day combined, you were  
2 asked about that? The field sobriety test, the preschool and  
3 the DRE school?

4 A Not three-day, three parts combined, correct.

5 Q I am sorry, I apologize. The three parts combined  
6 is what I meant to say.

7 A Yes.

8 Q And you said you had never done that and you would  
9 never be in favor of that?

10 A That is correct.

11 Q But you would agree that someone else who could take  
12 over, that they could decide that that is the way that they  
13 are going to allow it now, correct?

14 A That is correct.

15 Q It is a personal call that you are making, you are  
16 disagreeing with what IACP is saying at this point, right?

17 A No, I am not disagreeing with them. They allow us  
18 to be more stringent. They set standards that would apply  
19 nationwide. And certainly different states have different  
20 circumstances. You know, you might have a state that exceeds  
21 the NHTSA IACP standards for standardized field sobriety  
22 testing.

23 Let's say as an example they extend that for two or  
24 three weeks. Well, okay, if they are going to do that then  
25 they might tie preschool and seven-day school in with it. I

1 mean you know I can't address a lot of ifs there.

2 Q The reason why though is they set the standard of  
3 what is -- what makes a qualified DRE, correct?

4 A They set the minimum standards, correct.

5 Q They are the ones who are ultimately going to give  
6 you the certificate and the certification, correct?

7 A They give the certificates. The certification comes  
8 from the State Coordinator but they give, they credential,  
9 essentially.

10 Q Okay. So, they are saying that you could do this  
11 combined and be a certified DRE, correct?

12 A Well, really it's the State Coordinator that says  
13 that. They set the standards, the coordinator is the one  
14 makes the determination whether the person reaches the level  
15 that that coordinator wants for a drug recognition expert in  
16 the given state. So, --

17 Q I understand that you ultimately are the one who has  
18 to sign off on people in Maryland --

19 A Yes.

20 Q -- I understand that. What I am saying is, however,  
21 the fact that they allow that to happen means that they are  
22 saying for their purposes that is enough to be a certified  
23 DRE, correct?

24 A As long as they get the credentialing from the State  
25 Coordinator, then they will -- I mean the paperwork from the



1 State Coordinator, then they will issue the credentials. What  
2 they are setting are minimum standards.

3 Q I understand.

4 A Okay.

5 Q But that also means that if Maryland decided to  
6 change that that would be a permissible way to do it, correct?

7 A Correct.

8 Q Now I assume the reason that you don't want it done  
9 that way is you think that they may not be as qualified to be  
10 a certified DRE, is that correct?

11 A There are a couple of trains of thought along that  
12 line.

13 Q That certainly is one of the big trains coming down,  
14 right? Is that you don't think that having someone be able to  
15 do those all at once, all that information at once is an  
16 appropriate thing to do to have them be a certified DRE,  
17 right?

18 A I believe it's important to have the separation but  
19 if I may give you an example. When I went through the  
20 academy, I shot thousands of rounds of ammunition and firearms  
21 training, qualified 100 percent. Everything I shot at the end  
22 of it for qualification hit the bulls eye.

23 I have never qualified that good again because I  
24 don't shoot that frequently. So the argument could be made by  
25 going right through step by step, the person may be qualified.

1 So, I am not going to say that what they suggested is not a  
2 valid process. I am only saying that is not what I choose to  
3 use in Maryland.

4 Q Okay. You don't choose to use it but you don't find  
5 it to be inappropriate, and is that a summation?

6 A Correct.

7 Q And so if you don't find it inappropriate to do it  
8 that way, then it is certainly not unreasonable that one day  
9 that may be very well what we are doing in Maryland, correct?

10 A Could be.

11 Q When you are -- this qualification or what they have  
12 approved, you are actually involved in IACP, correct?

13 A I am currently a member of the DRE section of the  
14 IACP. I previously served in the capacity of general chair of  
15 the section, had one year I spent in that capacity on the  
16 technical advisory panel. I do not anymore.

17 Q And when you were on that panel, what years was  
18 that?

19 A Let's see, 2007 to 2008.

20 Q And was there a medical person on that panel with  
21 you?

22 A I'm sorry 2008 to 2009. But at the time I was on  
23 there, it was right after Dr. Phillips had passed away. And  
24 they had not yet appointed a new doctor. So, from the medical  
25 field, we just had Dr. Jack Richmond.

1 Q And he has been on there for a very long time?

2 A A number of years, yes.

3 Q Now, you said you didn't see any benefit to having  
4 the pre -- because initially, it used to be, did it not, that  
5 the preschool had to be completed. There had to be a break  
6 and then you were brought back for the actual seven-day,  
7 correct?

8 A I don't want to say that had to be done. We did it  
9 that way. The theory was they finished the preschool, you  
10 give them their DRE seven-day manual with the hopes that they  
11 would go back, start reviewing that manual.

12 Q And continue to do field sobriety testing, correct?

13 A And practice some of the skills.

14 Q And they were also taught to, while they are now  
15 getting the time doing that after preschool to look for some  
16 of the things they have been alerted to, correct?

17 A When they are doing their enforcement in the field.

18 Q Correct?

19 A Yes.

20 Q And so you talked earlier about the real benefit of  
21 having -- you talked about your shooting. Now when you are  
22 doing it regularly, you could shoot pretty well, right? The  
23 reason was it not for the preschool and the break to then DRE  
24 school, was that the person had a chance to go out, do some of  
25 these things, see it in action in real life, and then come

1 back and learn this seven-day program, correct?

2 A Correct.

3 Q But you see no benefit in keeping it separate now?

4 A Well, we found no benefit. We tried one year  
5 combining the schools and evaluated the outcome. Did we have  
6 better students when they were separated or were they  
7 equivalent, or were they better with the combined school?

8 And what we found was there was really no difference  
9 in the capabilities of the students whether there was a  
10 separation between the two schools or whether they were  
11 combined.

12 And, again, as I mentioned for the benefit of  
13 scheduling for the agencies that send them, we have chose to  
14 keep them combined.

15 And we continue to evaluate it, you know, each time  
16 we have a school to see. And we haven't seen any degradation  
17 in the quality of our students.

18 Q Well, let me ask you. You say that. How do you  
19 determine the quality of your students?

20 A Do they know the material? How well do they score  
21 on the exams? How well do they perform in the practical  
22 exercises? And how well do they perform when they get out?  
23 We do retraining every year.

24 So, when they come back, have they retained as much  
25 information with the combined school as they did with the

1 separate school.

2 Q So, you found no benefit to their ability to  
3 memorize material by taking the break, correct?

4 A I'm sorry?

5 Q Well, I mean a lot of the testing in the DRE program  
6 is you have to remember a lot of information?

7 A Correct.

8 Q You have to remember all the information that is  
9 contained in the manual, correct?

10 A We hope so.

11 Q Right. Well, that is what they are learning, that  
12 is what they need to know, right?

13 A Correct.

14 Q Okay. So, you didn't see any difference in taking a  
15 break in their ability to remember and score well on the test,  
16 correct?

17 A That is correct.

18 Q Now, what about the ability of them to execute this  
19 kind of material in the field?

20 A Well, again, we did not see any decline in the  
21 capability of the DREs once they were -- once they went into  
22 certification training really is where we would evaluate them  
23 and to see if they had a change under the new style or new  
24 scheduling. Were they able to perform as well during  
25 certification training as they did otherwise?

1 Q Now one of the ways that -- one of the duties that  
2 you have in your position is to report Maryland's -- I would  
3 say an annual report that you have to do where you report and  
4 say the status of how DREs are doing in Maryland, correct?

5 A Correct. To report that to the International  
6 Association of Chiefs of Police.

7 Q And one of the ways that you evaluate the  
8 performance of your DREs is by looking at their logs, correct?  
9 Their DRE rolling logs?

10 A I don't see too many of those. On a random basis, I  
11 see them. Most of that is done by the agency coordinators,  
12 regional coordinators will, again, will randomly look at some  
13 as well. I see some now and then. If there is a problem and  
14 it's brought to my attention, then, obviously, I will look at  
15 each individual.

16 We do report. I think maybe what you are getting  
17 at, we -- okay, go ahead.

18 Q I will get to where I want to get.

19 A Okay.

20 Q What I am asking you is though you said that you saw  
21 no difference in how they performed in the field, correct?

22 A That is correct.

23 Q But you have no involvement in how they perform in  
24 the field because you don't even see what their logs are and  
25 how they performed, correct?

1           A     I see some but I also keep a database that reports  
2 on their -- how many evaluations, lab results and so forth.

3           Q     Right, lab results. So, when we are talking about  
4 the issue of their performance and you said, I see no  
5 difference in their field performance, do you analyze how much  
6 you get. Like when they are coming in, do you look at what  
7 they determine categories to be and whether there was actual  
8 confirmatory testing to show that is what it was?

9           A     I will compare an individual DRE's accuracy rate, if  
10 you will, to the state average. If it is way out of line,  
11 then, obviously, it brings to our attention something that may  
12 need to be addressed.

13          Q     Like, again, so, I just want to clarify. So, when  
14 you say, when you basing your opinion that you saw no  
15 difference of them in the field, that is based on what you saw  
16 in terms of how accurate they were in the field based on  
17 confirmatory testing?

18          A     When we are evaluating them initially it is during  
19 their certification training. Okay?

20          Q     Right.

21          A     That's not necessarily out in the field, although  
22 it's not in the field, I mean it is in a controlled  
23 environment. Typically, we use Baltimore Central Intake  
24 Booking Facility. That is where we are evaluating their  
25 outcome as part of their accuracy really in their evaluations.

1           Once they get certified and go out into the field,  
2 then for recertification purposes we look at their accuracy  
3 compared to the statewide average.

4           Q     Okay. One of the things that took place though, at  
5 least in Maryland, for a period of time and you reported this  
6 and you have heard it come up that actually was no testing  
7 being done on any of these opinions, is that correct?

8           A     That is correct.

9           Q     And so when you say you didn't see any difference,  
10 well at least, how many years was that?

11          A     Right about two and a half years where we did not --  
12 well actually that is not true. Two years and two months, I  
13 think, where we did not have testing.

14          Q     Okay. And you didn't stop anybody from rendering  
15 opinions during that time as the coordinator for Maryland, did  
16 you?

17          A     No, I did not.

18          Q     And you continued to recertify people during that  
19 time is that correct?

20          A     That is correct.

21          Q     Even though you would agree with me, you have no  
22 idea how "accurate" they were in the field because there was  
23 no confirmatory testing to look at?

24          A     I don't mean for this to sound like a flippant  
25 answer but I compare their average to the State average. And



1 we could argue that each one of their averages was identical  
2 to the State average because we didn't have testing, so, the  
3 State average was what it was.

4 Q So, it was whatever they said it was, they were  
5 right?

6 A There is no requirement by IACP standards that we  
7 evaluate that, that we take that into consideration.

8 Q You went to great lengths to tell me how much  
9 stricter Maryland is than IACP --

10 A That is correct. And we are in some areas.

11 Q But not that area?

12 A That is true.

13 Q All right. In fact the strictness that you talk  
14 about you say, you gave a couple of examples, one of them was  
15 you were stricter in that you wouldn't allow this three SFST,  
16 preschool and DRE -- that is one that you said you were  
17 stricter in, right?

18 A Correct.

19 Q The one you said is that on your final knowledge,  
20 you require a 100 percent, right?

21 A That is correct.

22 Q And the normal test that is taken by IACP, it is 80  
23 percent, is that right?

24 A Well, no. The accurate -- you have a 100-question  
25 test at the end of the DRE school. That is separate from the

1 final knowledge exam.

2 Q Okay.

3 A The final knowledge exam is usually done about half  
4 to three quarters of the way through the certification phase.  
5 The standards for the IACP is that the DRE student show a  
6 proficiency and knowledge of the DRE program.

7 That is it, there is no percentage there. We  
8 require them to get a 100 percent in that.

9 Q So, on the examinations that are done all the way  
10 through the program and completing -- before we get to field,  
11 sir, completing the program. You use the very same pass rates  
12 that they use in IACP, correct?

13 A You mean for the quizzes and for the 100 --

14 Q Correct, for the quizzes and the --

15 A -- yes 80 percent.

16 Q -- knowledge pass and all that, right?

17 A Correct.

18 Q The only thing you are saying is -- and you are  
19 describing it as more strict, is this final knowledge exam  
20 that occurs at the end where IACP doesn't tell you what  
21 percentage it has to be, correct?

22 A No, we use a higher standard for during the  
23 training, certification training, we require more accuracy in  
24 confirming their tests.

25 Q Well, that is a separate issue, and I will get to

1 that.

2 A Okay.

3 Q Talking about your final knowledge exam, okay.

4 Final knowledge exam, IACP does not set a percentage that a  
5 person has to get, correct?

6 A On the final knowledge exam, correct.

7 Q Right. So, you are saying that you pick a 100 but  
8 they also, isn't it true, that IACP doesn't say you can give  
9 it to them multiple times, correct?

10 A They have the -- you mean multiple times?

11 Q Right. For example, they simply say you need to  
12 make sure the person is proficient. They don't tell you that  
13 you can give it more than once, do they?

14 A No.

15 Q But Maryland allows you to do it more than once if  
16 you don't score a hundred, correct?

17 A I don't believe we have ever had someone come back  
18 in and retake the final knowledge exam. We have had a  
19 permitted retake of the 100-question test at the end, but not  
20 the final five-part, final knowledge exam.

21 Q So, how many people have you had that failed the  
22 exam, didn't get a hundred?

23 A We have had -- oh, I don't know, over the years,  
24 probably six to eight who have failed the final knowledge  
25 exam. We have had more than that that were unable to complete

1 portions prior to that.

2 Q Okay. And as to the final knowledge were they  
3 allowed to retake it?

4 A No.

5 Q And as to the final knowledge, how many students  
6 have you had that have gone through in your time as the  
7 administrator of this approximately?

8 A 150 to 200 in Maryland roughly.

9 Q Okay, so out of that you had six that didn't get a  
10 100, right?

11 A That is correct.

12 Q As to the testing, when you had the lack of blood  
13 testing that was going on, the IACP standards require you may  
14 not, that there is confirmatory testing that is collected and  
15 analyzed by the coordinators?

16 A For a state to be chosen to be a DRE state, they  
17 have to have laws in place that enable that and the ability to  
18 do so.

19 Q Okay. So for that over two-year period of time,  
20 Maryland didn't have the ability to do so, correct?

21 A That is correct. We were already a DRE state by  
22 then.

23 Q Well, I understand, but Maryland was operating below  
24 IACP standards during that time, isn't that right?

25 A I don't believe IACP specifies standards for yearly

1 ongoing service. For a state to become a DRE state, they are  
2 required to have laws in place and the ability to do the  
3 testing. I have never read anything in their standards that  
4 require them to reevaluate that on a year-to-year basis.

5 Q So they don't decertify someone who then doesn't  
6 follow their initial requirements, is that it?

7 A Now, you would have ask them about that. I have not  
8 seen that.

9 Q Well, you were part of the --

10 A They did not remove Maryland from the DRE program,  
11 that is correct.

12 Q And you reported to them, in fact, they were not --  
13 that you were not getting blood results, is that right?

14 A That is correct.

15 Q And you also are familiar with Dr. Barry Levine, the  
16 State Toxicologist for Maryland, is that right?

17 A I am.

18 Q And you spoke to him during this time about the lack  
19 of blood testing, is that not right?

20 A That is true.

21 Q And he expressed his opinion, did he not, to you,  
22 that without blood testing that you cannot render an opinion  
23 as to impairment, is that right?

24 A I had several discussions with Dr. Levine and I  
25 expressed my opinion to him that he is trained in toxicology,

1 he is not trained in identifying psychophysical impairment and  
2 so any opinion that he would deliver based on a trained  
3 person's ability to identify psychophysical impairment could  
4 be questionable.

5 Q So, you are saying that Dr. Levine -- let me make  
6 sure I have got this right. Dr. Levine is less qualified than  
7 a certified DRE to render an opinion without blood?

8 A I'm saying that Dr. Levine is not trained in  
9 identifying psychophysical impairment. He is identified in  
10 toxicology. He cannot talk about quantities of blood in the  
11 system, he can talk about the effects that drugs would have on  
12 an individual.

13 He has never been, to the best of my knowledge, and  
14 he has never told me that he has been trained in identifying  
15 psychophysical impairment like law enforcement officer does.

16 THE CLERK: Defense Exhibit 28 for identification.

17 (The document referred to was  
18 marked for identification as  
19 Defendant's Exhibit 28 for  
20 identification.)

21 BY MR. DeLEONARDO:

22 Q Now I am going to show you defense Exhibit 28 marked  
23 for identification. And you can take a look just to satisfy  
24 yourself. It is a transcript of Dr. Barry Levine.

25 A Uh-huh.

1           Q     Okay. I am going to ask and if you would, you could  
2 certainly peruse it on a discussion about a DRE opinion. And  
3 I will ask you some questions.

4           A     (Reading.)

5           MR. DAGGETT: I am not -- we haven't seen it. I  
6 don't even know what that is. And I would like some sort of  
7 proffer at least before we can as to what it is.

8           THE COURT: Well, I think I am going to recess for  
9 lunch.

10          MR. DeLEONARDO: Okay. We will resume at 2:00 p.m.  
11 This room will be locked over the lunch recess.

12          THE WITNESS: May I have -- while we are on break,  
13 may I -- in this room may I have an opportunity to review  
14 this.

15          MR. DeLEONARDO: Yes, absolutely.

16          MR. CRUICKSHANK: We just gave you guys a copy.

17          MR. DeLEONARDO: We just gave copies so you can  
18 certainly take a look.

19          MR. DAGGETT: Oh.

20          MR. DeLEONARDO: Because Madam Clerk, I am sure  
21 would like her copy back.

22          THE CLERK: All rise.

23                 (Luncheon recess was taken.)

24

25

1                   A F T E R N O O N S E S S I O N

2                   THE CLERK: Silence in Court, all rise.

3                   THE COURT: Be seated.

4                   MR. WELLS: Your Honor, we are back on the record in  
5 the Charles Brightful, et al., DRE Frye-Reed hearing. And  
6 both parties for the State, Daggett and Wells, are present,  
7 Your Honor.

8                   MR. DeLEONARDO: And for the record, Brian  
9 DeLeonardo, D-e-L-e-o-n-a-r-d-o.

10                  MR. CRUICKSHANK: Alex Cruickshank,  
11 C-r-u-i-c-k-s-h-a-n-k.

12                  THE COURT: All right, ready to proceed?

13                  MR. DAGGETT: Yes, sir. Lieutenant Woodward is on  
14 the stand. Your Honor, we are going to -- when we left for  
15 lunch, I believe Mr. DeLeonardo was in the process -- I think  
16 he had marked it and was getting ready to ask Lieutenant  
17 Woodward some questions about things that Barry Levine might  
18 have said.

19                  We are going to object to that entire line. I mean  
20 we don't think there is any way that that is admissible and I  
21 just don't think it is appropriate.

22                  I mean it doesn't qualify under anyone of the  
23 hearsay exceptions. There is no showing that Dr. Levine is  
24 unavailable. And it is something that -- I mean as far as for  
25 the Court's information, evidently some time ago, a few months



1 ago, Mr. Wells, Mr. DeLeonardo, and Mr. Cruickshank had a DUI  
2 or a DUI/CDS trial in which Dr. Levine was called at that  
3 point. I think Judge Hughes was the Judge. It is a  
4 transcript of the testimony of Barry Levine at that particular  
5 hearing.

6 But I don't think it is -- it is certainly nothing  
7 and Barry Levine is not a witness here. And he has not been  
8 shown to be unavailable, so we don't think it is proper -- it  
9 is a proper area for cross-examination because we can't  
10 certainly ask any questions about it.

11 So we just don't think that line -- I mean  
12 everything else we have no problem with but I think that line  
13 is just inappropriate.

14 MR. DeLEONARDO: If I could respond, Your Honor?

15 THE COURT: Yes.

16 MR. DeLEONARDO: First of all, initially he was  
17 being called as a rebuttal witness now he was being called as  
18 a substantive witness, which was a surprise, obviously, to me  
19 and to the defense.

20 He has testified and the reason he was being  
21 proffered is that he is, in fact, in charge of the State DRE  
22 program. It also works in conjunction with the State  
23 Toxicologist.

24 I asked questions as to him as to the conversations  
25 they had and what Dr. Levine's position was. He indicated one

1 position, I'm exploring a line of questioning on that. So, to  
2 that extent I think it is certainly a fair line of questioning  
3 from an impeachment standpoint.

4 Second of all, there is no requirement to show  
5 unavailability. It is a certified transcript that certainly  
6 has the indicia of reliability that I would be able and  
7 permitted to use that at a Frye hearing.

8 We are not talking about this being a Court trial as  
9 to those kind of issues. This is a Frye hearing, which  
10 frankly you can introduce all kinds of items as we have all  
11 done, from letters from some association to certified  
12 transcripts that the parties that are before you were involved  
13 in.

14 So I don't see how at all that is objectionable.

15 THE COURT: Well how is this, Mr. DeLeonardo, how is  
16 this not outside the scope of direct?

17 MR. DeLEONARDO: Well, Your Honor, I would say that  
18 it is not outside the scope of direct because he was asked  
19 about the stringency and the protocol and how they determine  
20 the accuracy or the reliability of the DREs.

21 That was some of the substantive testimony that  
22 was --

23 THE COURT: Right.

24 MR. DeLEONARDO: -- in fact, elicited. And so I am  
25 inquiring as to whether or not that, in fact, is accurate.

1 What is his understanding of how --

2 THE COURT: How does Dr. Levine's testimony relate  
3 to that?

4 MR. DeLEONARDO: Because he is one of the people who  
5 oversees or helps oversee the programs and testing, I mean the  
6 blood testing and the urine test of whatever is taking place  
7 in the State of Maryland.

8 So, I think it goes to that. I mean it goes to what  
9 information is being provided to the people that run DRE as to  
10 what the proper way is to run it.

11 MR. DAGGETT: My response to that is number one,  
12 first and foremost, we did notify the defense that Lieutenant  
13 Woodward was going to be expert in the field of drug  
14 evaluation and the DRE program --- in the State of Maryland.

15 So we have gotten -- you know we keep hearing that  
16 we didn't notify them of that. We did.

17 Secondly, Maryland Rule 5-804 talks about testimony  
18 given in a deposition and it has to be -- there has to be  
19 shown that the declarant is unavailable.

20 But most importantly, Dr. Levine -- I know what the  
21 testimony is going to be, and Dr. Levine basically -- and I  
22 have no problem in proffering this because it is accurate.

23 Dr. Levine basically if he were to be called, he  
24 would say -- and if I say it, -- feel free to jump in. He  
25 would say that he cannot if by looking at drug results

1 determine if somebody is impaired.

2           And we acknowledge that. We have no -- because  
3 there is no per se, there are no per se levels for drugs, he  
4 cannot come to Court and look at somebody's drug results and  
5 say based upon this, this person is impaired by this drug or  
6 this drug.

7           We concede that. There is no doubt about it. That  
8 is why -- but to take a one line or something that he might  
9 have said at a hearing sometime ago and put that in as gospel  
10 and we can't clarify it. It is just not and we just don't  
11 think it is appropriate and we do think it is beyond the scope  
12 as well, I should have said that but I mean we are just  
13 getting real far afield.

14           Dr. Levine could have been -- Dr. Levine could have  
15 been called here if they wanted him -- if they feel he is that  
16 big a deal, that important a witness, I guarantee you they  
17 would have called him as a defense witness.

18           MR. DeLEONARDO: Well, first of all, I would say,  
19 Your Honor, at to the proffer, it is an incomplete proffer.  
20 Actually, and I would be happy to put the full transcript  
21 in --

22           MR. DAGGETT: Well, yes, I am sure you would because  
23 it is inadmissible.

24           MR. DeLEONARDO: -- and, again, what he says is that  
25 you cannot reach an opinion -- he cannot reach any degree of

1 an opinion based on just signs and symptoms. That he would  
2 need both the signs and symptoms as well as the confirmatory  
3 blood test before you could testify as to an opinion.

4 That is so completely on point with the issue that  
5 we are dealing with. And as a result of what was being raised  
6 as to the way the blood is and the way Maryland is operating  
7 as we had testimony on that there was years that their blood  
8 testing was being done.

9 I inquired about the conversations on whether that  
10 was permissible and he indicated, my recollection was it was  
11 not an issue and, obviously, there is extensive testimony from  
12 Dr. Levine right on that point that says it is.

13 MR. DAGGETT: It is not extensive it is --

14 MR. DeLEONARDO: And I think it goes to impeachment  
15 on the program.

16 MR. DAGGETT: -- it is probably about four questions,  
17 it is not extensive and it is so inadmissible hearsay, Your  
18 Honor. We cannot -- we have all sorts of question and again I  
19 repeat if they thought that Dr. Levine was the key witness  
20 here, they would have subpoenaed him.

21 They paid all this money for everybody else, they  
22 certainly would have brought Dr. Levine in but they know that  
23 is not what he going to say.

24 And so when we have this difference of opinion,  
25 certainly I think it is just -- it is not admissible based

1 upon the rule.

2 THE COURT: I going to sustain.

3 MR. DeLEONARDO: Is, Your Honor, going to give leave  
4 at least based on this witness being added that we could bring  
5 Dr. Levine in if we choose?

6 THE COURT: I am sorry? When are you going to bring  
7 him?

8 MR. DeLEONARDO: Will we be able to have leave to  
9 bring Dr. Levine in if we choose? I mean the State obviously  
10 didn't want to bring the State Toxicologist in yet they are  
11 sure that he has something terrible to say for them.

12 So, I am asking if we choose to do that, whether we  
13 still have leave --

14 THE COURT: When would you do this, Mr. DeLeonardo?

15 MR. DeLEONARDO: I guess we would do that now.

16 MR. DAGGETT: On what basis? They have had six  
17 months -- a year to subpoena Dr. Levine. We will never get  
18 this thing over.

19 MR. DeLEONARDO: This is purely for impeachment,  
20 Your Honor, as to the witness. I mean I don't understand why  
21 I would be limited for not introducing it as evidence.

22 I have had impeachment evidence that I used against  
23 Ms. Burks, that was an internal affairs memorandum.

24 Impeachment, you are given latitude to do that. And I am  
25 simply asking him if he knows and if that, in fact, what Dr.

1 Levine has shared with him.

2 THE COURT: Well, ask him but I am not going to  
3 admit the transcript.

4 MR. DeLEONARDO: Well, okay, and that is fine. I  
5 was just simply wanted to pursue the line of questioning as an  
6 impeachment purpose and I think I have a right to do that.

7 THE COURT: Because my concern is, quite honestly, I  
8 think the State raises a valid point here, I mean we are  
9 talking now about a deposition coming in and we all know --

10 MR. DeLEONARDO: It is a Court transcript and a  
11 trial.

12 THE COURT: Well, but, you know, as a practical  
13 matter, I mean it may be that the witness would expound upon  
14 his answer in a way that might be -- I mean I would much  
15 prefer to have the witness here.

16 But I don't really -- I mean we have heard I think  
17 similar testimony from other witnesses and I understand this  
18 is for impeachment purposes but we have heard as I understand  
19 the proffer, I believe the record is replete with testimony  
20 from other witnesses during the course of these hearing, which  
21 probably says essentially the same thing.

22 Now if you want to ask the witness about that, any  
23 discussions he has had, anything, that is fine.

24 MR. DeLEONARDO: And that was my only point because  
25 he was being proffered as a witness as the reason why he was

1 so important to bring in was because he is in charged of the  
2 DRE program and he obviously has conversations with Dr. Levine  
3 and they had conversations about what is appropriate.

4 Again, I simply ask that line of questioning.

5 THE COURT: All right. Proceed.

6 MR. DeLEONARDO: Thank you.

7 BY MR. DeLEONARDO:

8 Q Now, you have had the opportunity to review what was  
9 marked for identification as defense Exhibit No. 28, is that  
10 correct? Did you read this over lunch?

11 A Yes, correct.

12 Q Okay. And you are aware in the transcript or what  
13 you read is it was basically a drugged driving situation,  
14 correct?

15 A Correct.

16 Q And you saw in there the fact that there was  
17 Dr. Levine, and Dr. Levine is who?

18 A He is the State's Toxicologist.

19 Q Okay. And he has been the State Toxicologist for  
20 some time, correct?

21 A Correct.

22 Q And as far as the blood testing that is done as part  
23 of the drug recognition expert program, he oversees that  
24 testing, does he not?

25 A He certifies the instrumentation that is used and he



1 certifies the people doing the testing.

2 Q Right.

3 A That's the extent of his oversight. The testing is  
4 actually done by the State Police Forensic Sciences Division  
5 and they have Dr. Ross --- there who oversees the actual  
6 testing of it.

7 Q But he is the certifying agency in Maryland for any  
8 type of blood testing and how it is performed, correct?

9 A Probably so. That I don't know. I know he certify  
10 people and equipment.

11 Q And you certainly over the years consult with  
12 Dr. Levine as the State Toxicologist when it comes to those  
13 issues, do you not?

14 A Correct.

15 Q And when we talked earlier, there was this period of  
16 time where there was no blood testing going on, on these DRE  
17 cases, right?

18 A Correct.

19 Q And so you would have consulted him and you did  
20 consult him as to how the blood testing and whether what you  
21 could do to get it started, correct?

22 A Correct.

23 Q And you also had discussions with him, did you not  
24 about the affect that it had on the DRE program by not having  
25 blood testing, correct?

1 A In a round about way, correct.

2 Q And you were aware that his position was and is that  
3 unless you or have the confirmatory blood results, you can't  
4 say within a reasonable degree of certainty that there is drug  
5 impairment simply on signs and symptoms, correct?

6 A That is incorrect. In my conversations with him and  
7 we had many of these, he, Dr. Levine, is unable to say  
8 conclusively because he is not trained in identifying  
9 psychophysical impairment.

10 So, he, as a toxicologist, needs a blood test or a  
11 urine test or some type of chemical test result to say whether  
12 certain indicators would be present or whether a person would  
13 be impaired.

14 But he's going to have trouble saying whether a  
15 person is impaired based on a chemical test result other than  
16 for drugs because there is no study anywhere in the country --  
17 although there is a new one for marijuana, it hasn't been peer  
18 reviewed.

19 Q I am not suggesting that -- I am not using him for  
20 the fact that just because it is in the blood, it means you  
21 are impaired. I understand that.

22 A Okay.

23 Q We can all agree with that.

24 A Okay.

25 Q What I am focusing on is you reviewed that

1 transcript, did you not?

2 A I did.

3 Q And you saw that Dr. Levine was specifically asked  
4 whether or not given the signs and symptoms --

5 MR. DAGGETT: And this is where I am going to object  
6 because obviously we have a difference of opinion. You are  
7 talking about one simple, single line or -- in a transcript,  
8 asking a witness who wasn't there to interpret what that  
9 means.

10 THE COURT: I am going to sustain.

11 MR. DeLEONARDO: So, I can't impeach with what  
12 Dr. Levine said, Your Honor?

13 THE COURT: I think what we are doing -- what I said  
14 was go ahead and ask him about his conversations with  
15 Dr. Levine. But I am not -- I don't think it is appropriate  
16 to -- I agree with the State on this point as far as the use  
17 of the transcript and any testimony given.

18 But I just don't think -- unless everybody wants to  
19 come back here at some later date and I really am not anxious  
20 to do that.

21 MR. DeLEONARDO: Can I just clarify what he said the  
22 conversation was?

23 THE COURT: Yes.

24 MR. DeLEONARDO: All right.

25 BY MR. DeLEONARDO:

1           Q     You said the conversation and I may agree with you  
2 on this, the conversation was that Dr. Levine said even with  
3 the signs and symptoms, he would have to have a confirmatory  
4 blood test before he could render an opinion, correct?

5           A     The way I interpreted that is Dr. Levine said based  
6 on the chemical test results, he would also need to see the  
7 psychophysical signs of impairment.

8                     That is a little different that what you said. He  
9 is saying that based on the chemical tests, he is not able to  
10 say whether the person is impaired. He would need the  
11 psychophysical test to do that.

12                     What I'm saying is law enforcement officers have  
13 that psychophysical test. We are able to identify that  
14 psychophysical impairment.

15           Q     Now let me stop you there. Because, you obviously  
16 read the transcript. He was explaining, was he not, that you  
17 have to have both. That he has to have both --

18                     MR. DAGGETT: Objection. Again, Your Honor, that is  
19 exactly what you have ruled on twice. He asked --

20                     THE COURT: Sustained.

21                     MR. DeLEONARDO: No further questions.

22                     THE COURT: Redirect.

23                     MR. DAGGETT: No, sir.

24                     THE COURT: All right, Lieutenant, thank you, you  
25 can step down.

1 THE WITNESS: Thank you, sir.

2 (Witness excused.)

3 MR. DAGGETT: We have nothing further, Your Honor, I  
4 believe all the -- I don't know if defense had anything  
5 further.

6 THE COURT: Anyone have any further witnesses to  
7 call?

8 MR. DeLEONARDO: As I said, Your Honor, the only  
9 outstanding issue is whether Dr. Levine was called. Your  
10 Honor is indicating you don't want to hear that. Again, I  
11 don't necessarily feel I got a chance to impeach witness from  
12 the standpoint that it is not just accurate as to what is in  
13 the transcript.

14 But I understand Your Honor is holding on to the  
15 transcript. So, I guess that would be appropriate --

16 THE COURT: Well, you know, again, I think  
17 Dr. Levine could have been subpoenaed. I don't see this as a  
18 major point after -- how many days have we been altogether?  
19 Anybody keep track?

20 THE CLERK: 10.

21 THE COURT: 10? 10 days.

22 MR. DAGGETT: I would have guessed 12 but --

23 MR. DeLEONARDO: And if Your Honor --

24 THE COURT: I wonder if any of those Frye-Reed  
25 hearings that were conducted -- I wonder if we now hold the

1 Guinness Record? No.

2 MR. DeLEONARDO: I would suspect so.

3 MR. WELLS: No, my understanding is that -- I think  
4 the one in Florida was 20 some days of testimony? It wasn't  
5 spread out over these six months.

6 THE COURT: Well how about we limit it to Maryland?

7 MR. DeLEONARDO: Oh, there is no --

8 MR. WELLS: Oh, yes.

9 MR. DAGGETT: No doubt.

10 MR. DeLEONARDO: Oh, yes. And actually at least  
11 what I will say is probably most of them I don't think we are  
12 anywhere close to that. Most of them are shorter in duration.  
13 Even around the country. Because a lot of them --- defense  
14 experts and all that.

15 Your Honor, if it is not a major point to you, then  
16 I will let it go.

17 THE COURT: All right, now. I am going to make  
18 everybody's day by telling you we are not going to have oral  
19 arguments. I am going to ruin it by telling you that I want  
20 it in writing -- what I like you to do is give me proposed  
21 findings of fact and conclusions of law. And I would like you  
22 to send it electronically to my law clerk.

23 Now, again, if you want to throw some conventional  
24 argument in there too, I don't really care but the format I  
25 would like is the findings of fact and conclusions of law.

1 I have in excess of 60 pages of notes. I think my  
2 notes are fairly complete. Obviously, I could have overlooked  
3 some things but I think this is important. Obviously I want  
4 to try and get any decision right. Of course, I never know  
5 until the people on Annapolis tell me one way or the other.

6 However, I think it is fair to say regardless of how  
7 the Court decides this, there is strong possibility that it  
8 will be appealed.

9 I think counsel are to be commended. I think  
10 everybody, obviously, was well prepared. I think that the  
11 subject matter here is something that does need to be decided.

12 I think there have been, obviously, judging by the  
13 number of hearings that have taken place on this subject, not  
14 only in Maryland but nationwide, a lot of people do have some  
15 interest.

16 This issue of whether something is generally  
17 accepted within the scientific community is one that is  
18 difficult because first of all you have to define what the  
19 scientific community is.

20 Heard a lot of testimony from various people on both  
21 sides. And of course everyone I think acknowledges on both  
22 sides that there is a strong public interest -- public safety  
23 interest with regard to the enforcement of laws pertaining to  
24 enforcement of our drunk driving laws, a very significant  
25 public interest, however, the question which has been raised

1 during the 10 days we have been in Court is the underlying  
2 scientific underpinnings of the drug recognition expert  
3 program, are those principles, are they generally accepted.

4           We all know, of course, that -- I don't know when  
5 the first polygraph test was performed and a lot of people  
6 believed that the polygraph is reliable but yet as of today  
7 and this goes back many, many years, polygraph results are  
8 still not admissible.

9           I don't think the opinion of a DRE rises to the  
10 level of a polygraph result in terms of the degree of  
11 prejudice to the rights of the Defendant and that may be one  
12 reason that the polygraph has kind of sat where it is in terms  
13 of admissibility.

14           But I do think that anytime you cloak someone with  
15 the title expert, even though, of course, juries and Courts  
16 are not bound to accept the opinion of any expert, but I think  
17 any time that you do that and you say someone is an expert, I  
18 think that that person's opinion is obviously going to be  
19 given some additional weight by particularly jurors.

20           So, I think something that needs to be decided. I  
21 guess I am surprised in a way that apparently it has not been  
22 decided previously by at least one of our Appellate Courts.

23           And I would think that if it hadn't been decided by  
24 the Court of Special Appeals, the Court of Appeals clearly, I  
25 believe would have granted ---. And we would have by now then



1 have a Court of Appeals decision. This may be the case.

2 So, at any rate, I am going to ask everyone to give  
3 us your argument in that format.

4 How much time, and I want to be reasonable here. I  
5 mean I would be fairly flexible depending on everyone's  
6 schedule and how much time you think you might need.

7 MR. DeLEONARDO: I was going to say, Your Honor,  
8 maybe this is outside the realm for other people, I would say  
9 90 days. And it is not that I want to take a lot of time but  
10 I mean --

11 THE COURT: I am sorry, how much?

12 MR. DeLEONARDO: I would say 90 days.

13 MR. DAGGETT: I was going to say two weeks.

14 MR. DeLEONARDO: Well, if the State could submit  
15 theirs in two weeks, I would be happy to submit my -- and I  
16 say that obviously because while it may not look like it over  
17 these 10 days, I do have other things. And it is very time  
18 consuming. I am happy to try to do it but I know for example,  
19 I am out of town a fair amount.

20 THE COURT: Well, you have got your law clerk here  
21 besides you.

22 MR. DeLEONARDO: So, I just say I just, you know, I  
23 would ask --

24 MR. : I got a day job, too.

25 MR. DAGGETT: Not like we don't.

1 MR. DeLEONARDO: What is right now?

2 THE COURT: Everybody has got a busy schedule,  
3 believe me I --

4 MR. DeLEONARDO: My only concern is between the end  
5 of February and a lot of round the middle of March, I am out  
6 of town a fair amount. So, I was just concerned about  
7 limiting my time to that. So, I mean not that I am trying to  
8 take a lot of time but --

9 THE COURT: All right, well this is mid-February.  
10 How about if we say mid-April?

11 MR. DeLEONARDO: Yes, I was going to say maybe even  
12 if it is somewhere around between the mid and the end of April  
13 that would be find.

14 THE COURT: How about if we say April 15<sup>th</sup> -- well  
15 now wait a minute, you guys probably have lengthy tax returns  
16 to file.

17 (Laughter.)

18 THE COURT: So, we will say April 20<sup>th</sup>, how about  
19 that. Now, obviously, if we have them both in sooner than  
20 that, we will --

21 MR. DeLEONARDO: Yes, absolutely.

22 THE COURT: All right. We are finished, and again I  
23 appreciate -- I think everybody has done a good job of laying  
24 it out for the Court, and for the most part it has been  
25 spirited but civil and I always appreciate that.

1           MR. DeLEONARDO: Not bad for 10 days together,  
2 right? Thank you very much, Your Honor.

3           MR. DAGGETT: Thank you, Your Honor.

4           MR. WELLS: Thank you, Your Honor.

5           (Whereupon, the hearing was concluded.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

C E R T I F I C A T E

CompuScribe hereby certifies that the attached pages represent an accurate transcript of the electronic sound recording of the proceedings heard on February 15, 2011, in the Circuit Court for Carroll County in the matter of:

Criminal No. K-10-040259  
STATE OF MARYLAND  
v.  
CHARLES DAVID BRIGHTFUL

Criminal No. K-10-040783  
STATE OF MARYLAND  
v.  
BONNIE DENISE BRISCOE

Criminal No. K-10-040331  
STATE OF MARYLAND  
v.  
HARVEY ALEXANDER CARR

Criminal No. K-11-041045  
STATE OF MARYLAND  
v.  
MATTHEW BRIDGER FARLEY

Criminal No. K-10-040167  
STATE OF MARYLAND  
v.  
JENNIFER ADELIN FLANAGAN

Criminal No. K-09-039370  
STATE OF MARYLAND  
v.  
RYAN THOMAS MAHON

Criminal No. K-10-040717  
STATE OF MARYLAND  
v.  
PERRY GILBERT MAY

Criminal No. K-09-039569  
STATE OF MARYLAND  
v.  
CHRISTOPHER JAMES MOORE

Criminal No. K-09-039636  
STATE OF MARYLAND  
v.  
VALERIE ANN MULLIKIN

Criminal No. K-10-040575  
STATE OF MARYLAND  
v.  
RYAN LUCAS MULLINIX

Criminal No. K-10-040686  
STATE OF MARYLAND  
v.  
DARRELL PATRICK PEYOK

Criminal No. K-10-040300  
STATE OF MARYLAND  
v.  
RONALD DALE TEETER

By:

\_\_\_\_\_  
Cora C. Holliday, Transcriber

\_\_\_\_\_  
Date